

01 COMMONWEALTH OF PENNSYLVANIA

02 DEPARTMENT OF

03 ENVIRONMENTAL PROTECTION

04 * * * * *

05 IN RE: WATER INFRASTRUCTURE

06 PUBLIC HEARING

07 * * * * *

08 BEFORE: Senator Raphael Musto, Chair

09 Craig Brooks, Member

10 Tony Guerrierri, Member

11 Marcus Kohl, Member

12 Dana Aunkst, Member

13 HEARING: Tuesday, May 27, 2008

14 1:21 p.m.

15 LOCATION: 1073 Oak Street

16 Pittston, PA 18640

17 WITNESSES: Dana Aunkst, Tom Quinnan, Bernard R. Biga,

18 Christopher Carsia, Thomas Lawson, Michael

19 Gallagher, Thomas Mertz, Yvette R. Austin

20 Smith, Eugene Barrett, Walter A. Nicholson,

21 Matthew Ehrhart

22 Reporter: Gregory Jones

23 Any reproduction of this transcript

24 is prohibited without authorization

25 by the certifying agency

01 A P P E A R A N C E S

02
03 TONY M. GUERRIERI
04 Research Analyst
05 Joint Legislative Air and Water Pollution
06 Control and Conservation Committee
07 Finance Building, Room 408
08 House of Representatives
09 House Box 202254
10 Harrisburg, PA 17120-2254
11 Present for Joint Legislative Air and Water
12 Pollution Control and Conservation Committee
13
14 CRAIG D. BROOKS
15 Executive Director
16 Joint Legislative Air and Water Pollution
17 Control and Conservation Committee
18 Finance Building, Room 408
19 House of Representatives
20 House Box 202254
21 Harrisburg, PA 17120-2254
22 Present for Joint Legislative Air and Water
23 Pollution Control and Conservation Committee
24
25

3

01 I N D E X

02
03 OPENING REMARKS
04 By Chairman Musto

05	TESTIMONY	
06	By Dana Aunkst	9 - 19
07	TESTIMONY	
08	By Tom Quinnan	19 - 34
09	TESTIMONY	
10	By Bernie Biga	34 - 44
11	TESTIMONY	
12	By Christopher Carsia	44 - 52
13	TESTIMONY	
14	By Thomas Lawson	53 - 70
15	TESTIMONY	
16	By Michael Gallagher	70 - 77
17	TESTIMONY	
18	By Thomas Mertz	78 - 85
19	TESTIMONY	
20	By Yvette Austin Smith	86 - 102
21	TESTIMONY	
22	By Eugene Barrett	102 - 112
23	TESTIMONY	
24	By Walter Nicholson	112 - 118
25		
		4
01	I N D E X (cont.)	
02		
03	TESTIMONY	
04	By Matthew Ehrhart	118 - 122
05	CLOSING REMARKS	
06	By Chairman Musto	122 - 124

07 CERTIFICATE 125

08

09

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

5

01 E X H I B I T S

02

03

Page

04

Number Description

Offered

05

One Yvette Austin Smith statement

--

06

Two Thomas Lawson statement

--

07

Three Bernard R. Biga statement

--

08

Four Walter Nicholson statement

--

09

Five Eugene Barrett statement

--

10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

P R O C E E D I N G S

01
02
03
04
05
06
07
08
09
10
11

CHAIR:

Good afternoon. The hearing for Governor Rendell's Sustainable Water Infrastructure Task Force will now come to order. I am Senator Ray Musto of the 14th Senatorial District and a member of the task force and I will chair today's hearing. I'm also Chairman of the Senate Environmental Resources and Energy Committee. And I certainly welcome you all.

I'm pleased that the Governor's task

12 force has come here to Northeastern Pennsylvania to
13 listen to concerns and recommendations of local
14 citizens to regard our water infrastructure.
15 Harrisburg is many miles away from here but every part
16 of the state shares the same water infrastructure
17 issues. It is important that all parts of the state
18 have the opportunity to speak on those issues. So I
19 proudly welcome the task force here to my home
20 district.

21 And the task force faces a tough
22 assignment, submitting a final report to Governor
23 Rendell by October 1st that more clearly outlines the
24 magnitude of Pennsylvania's water infrastructure needs
25 and offers recommendations on how to achieve

7

01 sustainable infrastructure goals. As most of you
02 know, the estimated cost of our state's needs in
03 regards to drinking water, wastewater and stormwater
04 infrastructure is at least \$22 billion and the problem
05 is very significant. Cities like Wilkes-Barre and
06 Scranton, Hazleton are now spending millions of
07 dollars to address their water and wastewater
08 infrastructure problems. And we will hear about some
09 of them today.

10 Funding for those needs is critical and
11 the state can play a significant part in solving our
12 problem. And that's the reason why I introduce
13 legislation Senate Bill 1341 that would invest
14 significant funding for the improvement of

15 Pennsylvania's infrastructure. The legislation
16 provides for voter referendum to authorize \$1 billion
17 bond for the construction, rehabilitation and
18 improvement of our drinking supplies and wastewater
19 treatment systems. This is an investment we must
20 make. And as time goes on our already aging systems,
21 both large and small, continue to deteriorate.
22 Pennsylvania, its municipalities both large and small,
23 will be faced with mounting expenses and meeting
24 funding needs.

25 Our quest is an important one and I thank

8

01 all of you here today for your willingness to serve
02 and participate in exchanging ideas and plans to
03 address Pennsylvania's water infrastructure needs.
04 And because of our lengthy schedule, we certainly do
05 want to hear from everyone, I would ask that each of
06 our participants limit their remarks to a maximum of
07 15 minutes. And after the hearing today, the record
08 will remain open. If there's any additional
09 testimony, or testimony that we have not received
10 today, you can certainly feel free to send in your
11 testimony to Craig Brooks. And he is the Executive
12 Director for our Joint Legislative Air and Water
13 Pollution Control Committee, as I serve this term as
14 Vice-Chairman.

15 With that being said, let us hear a brief
16 presentation on sustainable infrastructure, Dana

17 Aunkst, of PA Department of Environmental Protection.
18 Dana?

19 MR. AUNKST:

20 We're kicking these meetings off with
21 this presentation as we go around the state. And it's
22 more for the Department to present to you how we got
23 to where we are now and why we're doing what we're
24 doing and then to give you our pitch for what we call
25 sustainable infrastructure.

9

01 Last October we got a call from the
02 Governor's office at that time, indicating that they
03 were seriously considering, in proposing this year's
04 budget, including a water infrastructure funding
05 initiative. And we were asked to start working on the
06 ground work for that, how much money we would need,
07 how many people we would need to implement such a
08 program. And we spent a lot of time through October
09 and November and up until Christmas putting all these
10 numbers together.

11 In January, I think the Governor's office
12 got a little bit possibly sticker shocked when they
13 saw the numbers that we came up with and realized that
14 we couldn't bite this all off in one budget year. So
15 you'll note that this year's proposed budget includes
16 funding programs for high-hazard dams, state-owned
17 high-hazard dams, a thousand bridges, PennDOT bridges,
18 and some flood-control projects that are desperately
19 needed in some communities. That proposal includes a

20 funding program with it and staffing for the
21 Department and other agencies to get some of that up
22 to speed and running.

23 The issue of drinking water and
24 wastewater infrastructure was kind of put off until
25 consideration for next year's budget. As a result,

10

01 the Governor signed Executive Order 2008-02 that
02 created this Sustainable Water Infrastructure Task
03 Force. That task force has 30 members from various
04 stakeholder groups. The Chairs of all of the local
05 government committees and environmental committees and
06 both the Senate and the House are also represented.
07 That task force was given a specific set of issues
08 that they were to address.

09 First, identify the gap, meaning the
10 difference between funding available currently from
11 existing programs and infrastructure needs. That's a
12 gap. The task force was also given the responsibility
13 to identify cost-savings measures that might be able
14 to be achieved through non-structural alternatives.
15 One example that I can give you is, in the Chesapeake
16 Bay Watershed, the Department has developed a nutrient
17 trading program to try to offset or reduce some of the
18 cost of compliance with nutrient reductions. Those
19 types of non-structural or innovative approaches that
20 can result in reducing the overall need are to be
21 investigated.

22 The task force is to look at the actual
23 cost of providing sewer service or water service. The
24 range of rates that we see across the Commonwealth
25 range from lows in the single digits per month, \$8 or

11

01 \$9 a month in some cases for sewer service, to recent
02 projects approved I believe in this region, for
03 PENNVEST with the resulting user fee approaching a
04 hundred dollars a month. And the residents of that
05 community were so grateful to get the sewer service
06 that they were willing to pay that kind of rate. But
07 given that range of rates, one of the things that the
08 task force has been given to figure out is, what is
09 the real cost of providing service.

10 And finally, recommendations for
11 promoting sustainable infrastructure. Now, what is
12 sustainable infrastructure? I'll get to that in a
13 minute, but let's put it in very quick and easy terms.
14 It's asset management and long-term budgeting. The
15 Infrastructure Task Force has met twice. The first
16 meeting was organizational in nature. They created
17 five working groups. There was a tremendous interest
18 expressed by a whole lot of people to serve on the
19 task force. And there was a desire to keep the task
20 force to some manageable level. Thirty (30) people is
21 still rather large, if you think about a committee of
22 30 people. But given the number of people and the
23 number of organizations that expressed interest, the
24 task force has created these five working groups to

25 give just about anybody who has expressed a desire to

12

01 participate an opportunity to do so on one of these
02 workgroups.

03 Of the five workgroups, three are data
04 collection in nature. The first data collection
05 workgroup is needs' assessment. This workgroup is
06 charged with finding --- researching and providing
07 findings, conclusions, recommendations on the overall
08 infrastructure need for water and wastewater. This
09 group is also the group, the workgroup, that's going
10 to look at the user-rate structures and what does it
11 really cost to provide these services.

12 There's a workgroup on innovative
13 measures, investigating those non-structural and
14 innovative ways to achieve compliance, improve water
15 quality, improve drinking water without necessarily
16 building bricks and mortar infrastructure projects.
17 Cost savings associated with those types of approaches
18 are also to be investigated.

19 There's a group to investigate financial
20 resources available to infrastructure owners out there
21 right now. What are the resources that are available
22 currently and make recommendations for any increases
23 or any new programs.

24 There are two workgroups that are
25 implementation in nature. The first of those is the

13

01 group on financial sustainability. The financial
02 sustainability group is to look at these existing
03 funding programs and things like their eligibility
04 criteria. Are we targeting the limited resources
05 available to the right systems and to the right
06 situations?

07 And finally the last workgroup is
08 legislative and regulatory issues. And it is just
09 that. The group is going to take a look at those
10 existing statutes and regulations that may create
11 roadblocks to infrastructure programs and
12 sustainability, also recommend any new statutes that
13 may or may not have to be introduced. And throughout
14 all these workgroups there's a core component,
15 education and outreach.

16 One of the things that is very critical
17 in sustainable infrastructure terms is the need to
18 teach users what they have, what their system provides
19 for them, what they're paying for that. The example
20 that you hear all of the time is, people are more than
21 willing to pay \$120 a month for Comcast Triple Play,
22 if you know what I mean, and they're not willing to
23 pay \$20 a month for sewer service. Now which is the
24 one that's more necessary?

25 Sustainable infrastructure actually is a

14

01 term that EPA coined a few years ago. They started on
02 this concept. If you remember in the '70s, EPA funded
03 up to 75 percent of wastewater treatment plant

04 upgrades through their construction grant program. If
05 you were using an innovative technology, you could
06 have got 85 percent funding. And they were grants.
07 The local systems were only responsible for coming up
08 with their own share, 25 percent. That quickly was
09 very successful but it was also realized, after 20
10 plus years of running that program, that the federal
11 government had shallow pockets. They couldn't
12 continue to come in and offer that kind of money. In
13 the 30 years that Pennsylvania facilities benefited
14 from construction grants, they received \$2.4 billion
15 dollars. That concept was phased out in the early and
16 mid '80s and it kind of evolved into the state
17 revolving fund programs, where EPA would seed state
18 programs that would loan money at low-interest rates,
19 subsidized rates, for infrastructure improvement. And
20 that program has been working very well.
21 Conversely, the 30 years of construction grants
22 program in Pennsylvania \$2.4 billion in the first 18,
23 19 years of the PENNVEST program, they're pushing 4
24 billion in loans and grants.

25 So I mean, it's been very successful, but

15

01 they've been able to bite little pieces of the apple
02 at about \$200 million a year or \$250 million a year on
03 wastewater/drinking water that has not really
04 overtaken the increasing infrastructure needs that are
05 mounting.

06 So EPA's latest approach is called
07 sustainable infrastructure. They want to encourage
08 systems to be able to sustain themselves so they're
09 not coming back to the government every 20 years for
10 the next new project. Charge user rates that include
11 long-term budgeting for replacement of the components
12 as they end their useful life, those types of things.

13 EPA defines their sustainability concept
14 by --- and this is EPA typical, four pillars. Four
15 pillars holding up the roof. And the four pillars are
16 better management, low-cost pricing, water-use
17 efficiency and a watershed approach. Now, we at DEP
18 have been working on our own sustainability concepts.
19 We don't use those terms exactly but the concepts are
20 essentially the same. Under better management, things
21 that we do at DEP that our programs we've been trying
22 to develop and improve, our operator
23 certification ---. Workforce development, critical,
24 critical issue. Seventy (70) percent of our
25 wastewater and drinking water treatment certified

16

01 operators are 55 years or older. So in the next ten
02 years we're going to have a significant turnover in
03 the workforce and we're not seeing the younger people
04 getting into this industry or this business in this
05 day and age. And one of the things we're trying to do
06 is create a workforce development, part of our
07 operator certification program to encourage that kind
08 of thought and that kind of effort at the community

09 college level to convince people that this is truly a
10 professional career. It's not something that should
11 be looked down upon like it is in a lot of cases.

12 Under system efficiency, again, we look
13 at the same issues, workforce development. And in the
14 drinking water side we have what we call a Capability
15 Enhancement Program. We're actually out there working
16 with systems directly. We have circuit riders that
17 work with our staff that go around to help troubled
18 systems, drinking water systems now, and improve their
19 own capability to manage their treatment and their
20 finances.

21 Under water use efficiency, conservation
22 of not only water is important but energy conservation
23 is going to be the next big thing that you're going to
24 hear. We hear and read in news a lot about the
25 electricity rate caps and their expiration in the next

17

01 couple of years, and how that's going to jump
02 everybody's user rates 30 to 50 --- whatever you read
03 --- percent.

04 One of the things that's critical here is
05 that in wastewater treatment and drinking water
06 treatment there's a lot of electricity used to move
07 water around. Water is pumped in the wastewater side,
08 we got big blowers to provide the air for the
09 treatment process. These systems are extremely energy
10 consumptive. And that cost itself is going to become

11 critical over the next few years.

12 Under the infrastructure financing issue,
13 that's why we're here. That's the purpose of the task
14 force. And finally, under a watershed approach, some
15 of the things we're working on, many of you may be
16 familiar, we're finishing up our work on the state
17 water plan. And a lot of those individual plans for
18 the specific watershed is to be released this fall.
19 We're starting to get into green infrastructure. And
20 where that comes into play in a lot of cases is,
21 infiltrating the stormwater where's it's generated and
22 not letting it get into, for example, your combined
23 system. And there are rain gardens, rain barrels
24 those types of things that are innovative in nature
25 but they're referred to as green infrastructure.

18

01 And finally, the concept of
02 regionalization. And for some people this kind of
03 creates a little bit of an anxiety attack. I'm not
04 talking about the past concept of regionalization
05 where we build a big treatment plant and we run the
06 water lines, the sewer lines, miles and miles and
07 miles to pick up these customers. That's just not
08 cost-effective, number one. But in those terms it's
09 not sustainable either. You don't have enough
10 customers paying the rates to support that kind of
11 infrastructure. Regionalization in this context means
12 right side. In some places that big regional system
13 might still make sense, in other places, a series of

14 satellite facilities may be managed by the same
15 umbrella organization would be a better fit. Right
16 sizing. And those are the types of watershed concepts
17 we're working on.

18 So in summary that's how we got to where
19 we're at now. I also wanted to give you a pitch for
20 the sustainability concepts we're working on at the
21 Department. Thank you.

22 CHAIR:

23 Thank you very much. We are joined this
24 afternoon by Kathy Pape who is also a member of the
25 Sustainable Water Infrastructure Task Force. Kathy,

19

01 would you like to join me, please?

02 MS. PAPE:

03 Just to say hello. I'll sit out here
04 with the group. That's fine.

05 CHAIR:

06 Very good. Thank you for being here.

07 Our next presenter is Tom Quinnan from the
08 Pennsylvania Utility Contractors Association. Tom.

09 MR. QUINNAN:

10 Good afternoon. My name is Tom Quinnan.

11 I'm a board member of the Pennsylvania Utility
12 Contractors Association, referred to as PUCA,
13 representing the northeast district. PUCA is an
14 organization which represents sewer and water
15 contractors and suppliers throughout the State of

16 Pennsylvania. I'm also Vice-President of Leeward
17 Construction and ER Linde Construction. We are a
18 pipeline and site form contractor. We perform water
19 and sanitary sewer line construction as well as pump
20 station and wastewater treatment plant construction
21 throughout Northeastern Pennsylvania.

22 Leeward is currently in our 16th year of
23 business. We employ over 200 people. We have
24 completed over \$250 million worth of construction
25 through the end of 2007.

20

01 I appreciate this opportunity to offer
02 testimony on Pennsylvania's critical need to find a
03 solution for its water and wastewater infrastructure
04 needs. I'd also like to mention that other members of
05 our organization, PUCA, have offered testimony on this
06 matter.

07 On May 8th, Bruce Hottle testified in
08 Harrisburg. On May 22nd, Tim Greenland testified in
09 DuBois. I will concur with their testimonies and
10 appear here today in support of them.

11 System requirements and finance. Many
12 wastewater systems that are in operation in
13 Pennsylvania today are operating well beyond their
14 intended useful life as community sewer systems were
15 patched together to meet local needs without a lot of
16 consideration as to how they would be maintained and
17 replaced in the future. These systems were never
18 designed to be in use today.

19 When first constructed these systems were
20 state of the art using the best materials available at
21 the time. The designers and contractors who built
22 these systems never envisioned that these systems
23 would still be in use at the end of the 20th century.
24 The old systems were built of clay pipe, worked
25 together at the joints with cement and manholes were

21

01 built of brick and wood. Over time the cement in the
02 joints got cracked and washed away causing
03 infiltration problems we see today, especially when
04 the extended periods of wet weather hit our state.

05 With the age of many of our systems,
06 Pennsylvania is particularly hard hit with this
07 problem. Many municipalities outdate their systems.
08 In fact, U.S. Environmental Protection Agency's 2004
09 Clean Water Needs Survey report to Congress, documents
10 a 20 year capital investment need to Pennsylvania's
11 publicly owned wastewater infrastructure at more than
12 \$20 billion. You can figure this equates to about \$30
13 billion today at the rate of inflation and the cost of
14 construction, materials, labor and healthcare and
15 diesel fuel.

16 The Federal Water Pollution Control Act
17 commonly known as the Clean Water Act is one of the
18 nation's most successful environmental statutes. The
19 vital part of the Act's success is the Clean Water
20 State Revolving Fund better known as SRF (sic), which

21 provides federal financing to wastewater collection
22 and treatment projects at the state level. This
23 funding is distributed in Pennsylvania by the
24 Pennsylvania Infrastructure Investment Authority,
25 better known as PENNVEST. Matching funds in return

22

01 for principal and interest. There was going to be 320
02 million in loans and grants for water and wastewater
03 infrastructure maintenance, construction at the
04 beginning of Governor Rendell's term. The amount of
05 the money today is at \$262 million in loans and
06 grants. Even so, the snowball effect has continued to
07 grow a lot of money but not nearly enough to keep up
08 with Pennsylvania's infrastructure maintenance.
09 Despite the enormous needs and despite the Clean Water
10 SRF outstanding track record, the Bush Administration
11 continues to cause massive cuts each fiscal year.

12 Each state loses under this proposal.
13 Pennsylvania needs to recognize that federal dollars
14 have another attack on the taxpayers and this trend is
15 expected to continue. Pennsylvania needs to take
16 legislative action to support our infrastructure
17 needs. Very few systems across the Commonwealth have
18 the ability to raise funds required to solve these
19 problems by themselves. Those systems operate on a
20 budget designed to cover operating costs at a small
21 profit. The main concern is to pay all debts and
22 still keep the cost low to the community. Small
23 communities in particular have a truly difficult task

24 keeping services affordable and still meet their
25 obligations.

23

01 Sources of funding are limited to the
02 Rural Utility Service or RUS, direct grants and aid
03 with the Corps of Engineers, and borrowing from
04 PENNVEST, or raising money for local bond issues. RUS
05 funding comes at a rate of four percent for a long
06 period of 40 years. The only grant money available is
07 to bring the local user fee down to a rate of \$45 per
08 household ---. Direct grants from the Corp of
09 Engineers are very rare and only a few survive the
10 current budget-cutting climate of Washington D.C.

11 Borrowing from PENNVEST is a much better
12 solution, however PENNVEST has a limited budget. It's
13 difficult to believe, but many communities in
14 Pennsylvania still have raw sewage in the storm sewers
15 that are flowing into the waterways of our state.

16 PENNVEST's current budget of \$252 billion
17 a year for loans and \$10 billion a year for grants is
18 a start but nowhere near what it will take to get the
19 job done. The Wastewater Treatment Association
20 projects that water and wastewater need nationwide is
21 300 to 350 billion. Pennsylvania's needs will fall
22 between 20 and 50 billion of that amount.

23 Finally, many communities simply lack the
24 financial needs and experience to float their own bond
25 issues. What's truly needed is a dedicated source of

01 revenue that is stable and constant and dedicated to
02 the water and wastewater needs of the people of the
03 Commonwealth of Pennsylvania. PUCA has proposed
04 legislation to provide an additional \$240 million
05 annually to the Clean Water Fund. These funds will be
06 distributed by PENNVEST using new criteria, provide
07 one-stop financing for the municipalities.

08 This means that a new bureaucracy is not
09 created. A lot of municipalities believe they can
10 institute their own fee without involvement of the
11 state government. In reality their portion is nothing
12 more than a downpayment on the system.

13 Let me explain. Like the various
14 concepts of a home mortgage. Every new couple is
15 trying to purchase their first home and struggles to
16 save the downpayment for their home. Nevertheless
17 they still need to go to the bank to borrow the
18 balance for that home. The Clean Water Trust Fund
19 would be the bank. The municipalities can go to this
20 bank to borrow the money to build the entire system.
21 Given EPA studies that project billions of dollars'
22 need within the Commonwealth the 180 million worth of
23 funding PENNVEST has available is inadequate at best.
24 Coupled with the antiquated guidelines, that process
25 seems almost hopeless for some municipalities.

01 It is for that reason we put forth and we
02 are certainly recommending that Pennsylvania step into

03 the forefront and be a leader of the nation and create
04 its own Pennsylvania Clean Water Act. This all would
05 be created --- or would be crafted to create a
06 permanent solution to our water and wastewater
07 problems by the creation of a user fee for all public
08 water and wastewater systems at a rate of 20 cents per
09 thousand gallons, which would only mean \$2 per
10 household per month. This user fee would create \$240
11 million per year for capital improvements throughout
12 the state.

13 The funds would be channeled in three
14 ways. The first third will remain with the collecting
15 authority or municipality and act as a piggy bank so
16 as to develop startup or downpayment necessary for
17 solving clean water problems that we know exist. The
18 other two-thirds would go to a trust fund for the
19 distribution to PENNVEST for such problems. Half of
20 which, or one-third of the total, would be given out
21 and grants to all, so that all communities large and
22 small, rural and suburban, will be able to bring their
23 construction costs to a level that is affordable for
24 the residents. The final third of the funds collected
25 will be placed into revolving funds, which would grow

26

01 by repayments as a snowball would grow as it rolls
02 down --- the snowball effect. It makes the pot of
03 money large enough to meet the needs of the
04 Pennsylvania communities.

05 Lastly and more importantly we would
06 avoid the cumbersome, and at times unworkable
07 guidelines mandated by the federal government. I'd
08 like to think that this process is similar to that of
09 my parents and how they saved money, little as it may
10 have been, and carefully making their decisions so
11 they might be able to provide for those things that
12 were necessary for maintaining a wholesome household.
13 This unique concept of saving money in advance, each
14 municipal organization with their downpayment on
15 projects for the development of a meaningful grant
16 program to make projects everywhere feasible, and the
17 development of a revolving loan such as a bank, is to
18 fill the gap into the future. It's something that we
19 should be all proud of a part of creating. That is
20 what I'm asking of you today.

21 In fact, there's an effort on the
22 national level to develop a trust fund similar to the
23 proposed legislation for fortifying PUCA, Clean Water
24 Coalition. It's comprised of many industry
25 stakeholders. They meet regularly in Washington D.C.

27

01 and their current topic is creating a mutual trust
02 fund. I urge you to take a serious look at the
03 Pennsylvania Utility Contractors proposal, Clean Water
04 Trust Fund. It has the force necessary to correct the
05 neglect of the past and bring Pennsylvania to the 21st
06 century, the most advanced environmental funding of
07 each state in the United States.

08 In addition to this, we came up with some
09 cost-saving measures which would help save money and
10 therefore increase funds that we'd have available.
11 First of all the procurement code change. Last year,
12 House Bill 652 on the 2005-2006 session was
13 introduced. This bill amends the procurement code and
14 provides for 15 cost-saving measures. These measures
15 include financing plans, retaining standardization,
16 monthly payment, accounts payment and value
17 engineering. This is a huge issue. I don't think we
18 want to spend a large amount of time in this venue
19 discussing this bill, but it is important in the cost
20 savings to all.

21 The second item is standardization
22 specifications. Each of the many hundreds of owners
23 along with the engineering firms around the state have
24 taken their own road with respect to designing
25 materials, procedures and construction and bidding

28

01 process. These take their own way approaches have
02 created thousands of different and unique
03 specifications and designs for a contractor to try to
04 understand staying competitive at bid pricing and to
05 assimilate in bidding an item and following budgets
06 that are available.

07 Clearly standard specifications in very
08 large utility construction industry would bring about
09 very huge savings. By virtue of more competitive

10 bids, those contractors would be doing things
11 routinely rather than wording it all new, instead of
12 risking it each time a bid is submitted.

13 A good example of standard specifications
14 is the present PennDOT waterway standards. The single
15 book of specifications was created in cooperation with
16 PennDOT, engineering firms and contractors throughout
17 the Commonwealth. It is easy to understand the
18 simplification of only one specification book
19 throughout Pennsylvania. It's much easier to
20 understand and creates for less confusion, impact,
21 charges and claims, and more competitive bids. I
22 would urge the task force to devote at least some time
23 in its efforts to help stimulate and bring about the
24 preparation and adoption of standard specifications
25 for this industry.

29

01 The third item is service utility
02 engineering. PA One Call System, U.S. DOC and the
03 national One Call best practice survey recommend the
04 use of subsurface utility engineering to locate
05 utilities prior to the design phase. The excavation
06 process which is required --- which is the required
07 way in most cases. Also one of the most costly
08 problems is the existing underground utilities, and
09 more importantly the exact location of these
10 underground utilities in the bidding construction
11 process. Not having the accurate information creates
12 a requirement for a contractor to put in his bid

13 reserves which would cover the cost of delays and
14 changes, which are almost guaranteed to occur during
15 expedition process.

16 Additionally, there can be and usually is
17 a large amount of additional charges to the owner for
18 this lack of accurate utility information and the
19 problems that it causes during construction,
20 especially in sewer lines.

21 Currently within the utility-locating
22 industry, definitely the design process, the
23 information and technology to provide exact locations
24 is not available. This void is accurate data. This
25 void of accurate data can easily be solved by advance

30

01 in testing of subsurface utility engineering. This
02 process includes the soft dig or potholing or
03 excavating of the existing utilities with accurate
04 measuring and plotting both the location and depth.
05 Once the information is gathered and then accurately
06 incorporated into a utility construction plan so as to
07 avoid conflict with the existing utilities when it is
08 not necessary and --- when it is necessary in
09 developing accurate plans for the co-existence within
10 the construction project.

11 This advance subsurface engineering
12 process will better prepare construction, drawing of
13 any specification that a contractor can depend upon to
14 be clear for the unknown costs associated, thereby

15 reducing his bid price. It would also insulate owners
16 from additional costs currently assessed upon them
17 during construction when precise locations of
18 utilities cannot be provided in advance.

19 Studies have shown that dollars invested
20 in this process will return in savings in construction
21 costs of 10 to 17 times above invested dollars,
22 depending on the utility density involved in a
23 construction project. These savings should be pursued
24 as every dollar we can save in the construction
25 process will put us closer to completing our tasks.

31

01 Item four, criteria guidelines. The well
02 meaning but complicated process for qualification of
03 well recipients was revised with 10-year-old
04 statistics and economic values. Unfortunately, in
05 using this process in today's world, a low-income
06 utility with higher household earnings, most of the
07 communities in need of such loans cannot rate high and
08 are not qualified at all to receive funds. Or in some
09 cases only qualified for a partial loan, which means
10 they must spend additional monies with local funding
11 agencies, RUS, PENNVEST, local banks, funds or others.
12 Certainly, these criteria guidelines should be
13 reviewed and new guidelines devised to distribute the
14 Clean Water Trust Fund monies in an equitable manner,
15 as equitable manner as needed.

16 Item five, disadvantaged business
17 solicitation. The EPA requires solicitation for

18 certain public projects. While it is imposed to
19 provide the opportunities for DBE where a legitimate
20 DBE business exists, however many DBEs are simply
21 paper entities and adding five to ten percent profit
22 on the manufacturer's quote, backs the quote with
23 their profit margin tacked on. The DBE solicitation
24 process is time consuming and costly as both
25 municipality and the contractor must each perform

32

01 extensive research, solicitation, documentation
02 requirements before the project can be bid or awarded.
03 For the current system it takes an additional staff
04 order from the contractor and the engineering group.
05 Multiply this by number of contractors and engineering
06 firms to determine the labor costs of this program.

07 The DBE program does nothing to educate
08 the DBE, DBE firm, to the public bidding process. For
09 contractors it's required to provide all bidding
10 information to the DBE program. DBE firms are,
11 therefore, not responsible to retrieve bidding
12 information on their own and are relying on the
13 contractors.

14 PUCA believes that the DBE program would
15 be much better served by the mentoring program. And
16 after five to seven years, the DBE firm will graduate
17 from that mentoring property.

18 Item number six, acid management.
19 Wastewater utilities should incorporate acid

20 management guidelines in their policies, cataloging
21 every aspect of a sewer system to determine longevity
22 and the need of rehabilitation on a routine basis as a
23 mandatory best practice. That needs to be mandated
24 for each and every wastewater and water treatment
25 infrastructure system.

33

01 A long-term plan to operate or approve
02 the system as regulatory or legislative changes occur
03 is an integral part of a well-run retention system.
04 Water and wastewater systems need to ensure that local
05 rates cover the full cost of service, including
06 capital asset maintenance and replacement for system
07 longevity and liability.

08 Item seven, regionalization. The task
09 force should consider investigating the possibility of
10 regionalizing some of the wastewater systems for an
11 obvious cost savings.

12 Item eight. The last item, will be
13 education. Over the years I've seen many municipal
14 authority members with a lack of knowledge about
15 construction funding, failed financing, bid loss,
16 payment terms, case law, responsibility as a utility
17 owner, DEP data selection and the difference between
18 performance and maintenance funds. An educational
19 program for these officials would be highly
20 beneficial. It should reduce local court cases due to
21 inexperience and misconceptions.

22 In closing, bidders of the Pennsylvania

23 Utility Contractor Association working in a
24 construction industry day in and day out, we believe
25 that our suggestions are real solutions to the ever-

34

01 growing need. The time is now of the legislation to
02 take meaningful action to protect our environments for
03 the future generations.

04 Health risks are rising every minute that
05 we wait to remedy these infrastructure programs. From
06 our firsthand knowledge, a comprehensive plan to
07 address the entire infrastructure need in Pennsylvania
08 is imperative. We offer assistance to this committee
09 as you proceed with your legislative recommendation to
10 the Senate and House of Representatives. We
11 understand that a healthy and environmentally-sound
12 commonwealth is an economically sound commonwealth.
13 Pennsylvania is where we live and work. This is where
14 our children will live and work. Thank you.

15 CHAIR:

16 Thank you for your testimony. Next on
17 the agenda is Bernard Biga, Director of Operation,
18 from Wyoming Valley Sanitary Authority. Bernie.

19 MR. BIGA:

20 Thank you, Senator. I'd like to thank
21 the Senator and members of the task force for this
22 opportunity. There are hard copies. I don't know if
23 you picked them up when you came in. The date --- May
24 8 is the correct date. I gave this previously.

25

As I said, my name is Bernie Biga. I'm

35

01

the Director of Operations for the Wyoming Valley

02

Sanitary Authority. And as such within our

03

organization I am responsible to the day-to-day

04

operations, and the presentation will speak from that

05

perspective. Can everyone see? It's okay with the

06

lights.

07

Well, we're in Northeastern Pennsylvania,

08

so most of us know where we are. Our plant is located

09

about 13 miles from here. Wastewater, I believe, is a

10

little --- we'll eventually get there. This is about

11

one end of our service area, over 200 square miles.

12

A little overview background about

13

Wyoming Valley Sanitary Authority. We were

14

incorporated in 1962 and it was seven years later when

15

we went online as a primary treatment facility in

16

1969. It was almost 20 years later, in 1987, when we

17

were upgraded to secondary treatment.

18

There are 36 communities which we serve.

19

There are 14 founding or charter members, 22

20

additional communities. We have about 94,000 EDU's,

21

which have a population of a quarter of a million

22

people.

23

Our operating budget for this year is

24

about \$17.8 million. The plant operation maintenance

25

is a little over ten and our pumping station is almost

36

01

2,000,000. So of that 17.8 million, about 10.2

02 million goes to the actual operation and maintenance
03 of the plant and the pump station.

04 We also have a capital budget of about
05 2.7 million. You know, Dana spoke earlier about
06 trying to sustain your infrastructure. Well, we've
07 been trying to do it all along. We earmarked
08 somewhere between 2.5 and \$3 million a year to do
09 smaller capital projects. An example is the Regional
10 motor control centers in three of our pump stations,
11 we have already upgraded this year. We have air
12 lines. We have four separate treatment trains, I'll
13 talk about it a little later but we're replacing the
14 air lines. The contract started actually today as we
15 speak. That's a little over \$300,000, maybe \$330,000.

16 We are going to be replacing all of the
17 below-waterline components. And in that we spent over
18 \$300,000 just for the equipment. We will be
19 installing that with our own forces, in-house. So,
20 right there is you know, 700 --- about \$700,000 that
21 we will be funding, again, by ourselves through our
22 operating measures.

23 We also carry an inventory of about \$2.5
24 million in spare parts. And that's just because we
25 run 24 hours a day every day of the year. If there's

37

01 a holiday, well, we will work. We all know that.

02 Why do we do that? Well, one of our pump
03 stations, we ordered the pump on March 3rd and

04 expected shipping date is August 8, so obviously we
05 couldn't go that long without having parts like that.

06 Quickly, we are a relatively large
07 facility, with 50,000,000 gallons a day. We have dry
08 weather flow of 32,000,000 gallons a day, meaning the
09 three driest consecutive months. If the average
10 exceeded 32,000,000, we would be hydraulically
11 overloaded. The five-year average right now is about
12 26,000,000 gallons a day. We put about --- again, in
13 closing, I'll explain, it's about 40,000 pounds a day.

14 You may have heard this in Harrisburg.
15 Every picture tells a story. This slide tells two
16 stories. One, if you look around most of the day on
17 April 12th of this year, the low flow was about
18 30,000,000 gallons a day. Typically in July and
19 August that will be as low as 10,000,000 to
20 12,000,000. So right there it shows an infiltration
21 problem of getting ---. Ground water, we all know in
22 this area we had a very wet spring. And then a
23 thunderstorm about 4:15 a.m. moved through the area
24 and the flow went from 30,000,000 to 60,000,000 in
25 about a half hour and then eventually hit 80,000,000

38

01 within two hours. So that's an inflow problem.
02 That's all the wet weather, whether it's runoff or,
03 you know ice, it melts, snow melts, getting into the
04 system.

05 That's just a quick aerial of our
06 facility. We have four treatment trains, which

07 basically are four individual treatment plants. They
08 can be anywhere. They are independent of one another.
09 I can't see from here, the flag's in the way, but one
10 of the treatment --- basically in the middle to the
11 right you'll see, that's one of the trains we had off
12 line for service at the time.

13 Your hard copy does not mirror the slide
14 show. I tried to cut down ---. Secretary McGinty
15 gave us eight minutes in Harrisburg, so I cut a couple
16 slides out. But that's okay. Well, thank you.

17 Part of our major plant components are a
18 main pump house, the headworks. As I said, we have a
19 four-section area, activated sludge treatment trains,
20 biological treatment we use. And we have solids and
21 the centrifuge for sludge dewatering and a fluid-
22 activated incinerator for the volume induction of that
23 sludge.

24 Out-plants, major. We have 56 pumping
25 stations and 56 diversion chambers. We also have 35

39

01 miles of pipe. And I'll talk a little bit about this
02 later. It doesn't seem like much for the size of our
03 plant, but a lot of its in the service area, the towns
04 own those collection lines, we don't. We have 20
05 miles of gravity sewers and 15 miles of force main.

06 Now, what does it mean now? What are we
07 talking about? It means that the cost to the Wyoming
08 Valley Sanitary Authority ratepayers will increase

09 significantly. Why? Well, all of a sudden we have
10 to meet the Chesapeake Bay Strategy's nutrient limits.
11 We're doing that as we speak. It's under design. The
12 CSO requires infrastructure upgrades.

13 The Chesapeake Bay Strategy. I guess
14 we're all in the Susquehanna Watershed, so we know
15 what that's about. We have to meet the mandated cap
16 loads for nitrogen and phosphorous. And we have to do
17 it now. Our estimated cost is wrong up there, it's
18 \$6.2 million. Within the last two weeks there was an
19 upgrade of \$14.7 million. And the first compliance
20 year ends 30 September 2011. And that's actually a
21 lower cost that a lot of people are going to have to
22 pay to meet the base strategy. But fortunately 20
23 plus years ago our Board selected a process that has
24 in there BNR removal, biological nutrients. And right
25 now maybe 2.2 million pounds of total nitrogen

40

01 entered our facility and we put out 700,000 pounds
02 plus right now. So we have to get it down to about
03 584,000. So we do ---. Now, it's easier to get the
04 first part out, the second part is a little harder,
05 but that's all right, they're working on it now.

06 Another bigger or --- definitely money-
07 wise, is the combined sewer overflows. We have 56
08 diversion chambers. And these are points where
09 combined sewers, where you have sanitary and storm in
10 a single pipe, exceeds the hydraulic capacity of the
11 system and those flows are diverted. Through the 14

12 surrounding towns, 55 pipes, that's shown on the
13 screen from the top to the bottom, that's a combined
14 sewer that was existing. We built that square
15 structure around it. We called it a conversion
16 chamber, a CSO, or something of that nature.

17 We took a pipe and carried the dry
18 weather flow into the Wyoming Sanitary Water
19 Authority. The profile, we looked how it goes to
20 Weir, getting that line and the flow gets to Weir,
21 it's directed to Wyoming Valley Sanitary Authority.
22 When the flow exceeds its capacity to our pump
23 station, in that case the flow goes over the Weir and
24 it's directly discharged into a receiving stream,
25 mostly perhaps the Susquehanna River.

41

01 Costs to eliminate from the system. A
02 2002 engineering study placed the cost at \$90 million.
03 That was to meet the regulations that were in place at
04 the time. In today's dollars that's already up to
05 \$113 million. But as I said, that includes like
06 treatment heaters, concentrators or bar screens to
07 remove the settleable and floatables and then disinfect
08 that site and then discharge. We aren't sure that's
09 going to be allowed by the time we get around to doing
10 it.

11 To eliminate the combined sewer problem
12 would be the separation of all the combined sewers in
13 our service area and that comes to about \$400 million.

14 Currently, we have a CSO project under way at the Ross
15 Street Diversion Chamber in Wilkes-Barre. It's two
16 phases. Phase one, we're almost --- we're going to go
17 on phase two this year. The total cost is \$7.4
18 million. The good thing about this, we got some EPA
19 money to do it. We got 55 percent and then 45
20 percent. I believe, I'm not exactly sure, that we
21 shared with the state maybe 22-and-a-half percent
22 apiece.

23 And, again, because of the help we got
24 from the state, you know, we do support Senator
25 Musto's bill for the authorization of a billion

42

01 dollars. You know, and a billion dollars is a whole
02 lot of money, there's a lot of zeroes there. But in
03 Harrisburg a couple of weeks ago, I know that the
04 Greater Pittsburgh area is looking at \$4 to \$4.5
05 billion themselves for their CSOs. I don't know what
06 Philadelphia's looking at, it's a little longer. As I
07 said, for us to really separate and get rid of them
08 all, we're looking at about \$400 million.

09 We have 56 pumping stations, 25 original.
10 And then along --- several years ago, maybe 15 or so
11 years ago, we went and took over smaller pump stations
12 in the charter towns, 31 additional. They're getting
13 old. They're all getting old. Upgrade to 25 original
14 stations and it's estimated to be about \$15 million.
15 And about \$10 million for 31 stations. Now they're
16 smaller, therefore, the price is a little bit lower.

17 But we're looking at, you know, \$25 million with the
18 pump station included.

19 Our plant equipment needs. The
20 recognized useful life in this industry is about 20 to
21 25 years. Our solids-handling equipment is
22 approaching that. If we replace our centrifuge and
23 its ancillary equipment, our fluidized-bed incinerator
24 and its ancillary equipment, we're looking at about \$9
25 million. And the total cost of all the sizeable

43

01 projects, which you can see right now ---. Again I
02 say sizeable ---. The bottom line, you know, if you
03 take that again, 2.25 or 2.75 million over 15 years,
04 you know, we're talking \$35 to \$40 million that we
05 have to submit ourselves through our ratepayers again.
06 But it's tough to know. Chesapeake Bays mandates now
07 14.7 million dollars. The CSOs range anywhere from
08 \$114 million to \$400 million. The pump station
09 upgrade is 25, the centrifuge and incinerator is 9
10 million for a total cost of somewhere between 131 and
11 440 million dollars.

12 There is a disclaimer. The current
13 charge of the transmission and treatment of the
14 wastewater. We do not know the age nor the condition
15 of more than 800 miles of pipe in the collection
16 systems of the service towns. That is the
17 responsibility of the town. We don't own them. And
18 some of them I know for a fact were installed in the

19 1800s. And over 400 miles of those pipes are combined
20 sewer systems. And the real cost is unknown. The
21 estimates are running in the hundreds of millions of
22 dollars.

23 And that ends my presentation. I think
24 you guys have a hard copy of two more slides. That's
25 just a force main title, a 30-inch force main we

44

01 repaired last year, 18 feet. It cost about \$300,000.

02 CHAIR:

03 Well, thank you very much, Bernie. And
04 again, pipe line costs --- I see so many heads
05 shaking. We certainly do know the cost following our
06 CSO problem. But the longer it goes without solutions
07 in place, the costlier it gets. And that's the reason
08 why we're conducting hearings throughout the state,
09 to get as much information as we possibly can in order
10 for us to come up with solutions.

11 Next on the agenda, Christopher Carsia.
12 He's Director of Operations at the Greater Hazleton
13 Joint Sewer Authority.

14 MR. CARSIA:

15 Senator, and the task force, first I
16 wanted to inform you that I'll be going off the copy
17 here, less informal, but I've done the best --- I hope
18 that these facts are well taken. I am going to begin
19 giving you a little background on our structure. The
20 Sewer Authority --- the Greater Hazleton Joint Sewer
21 Authority serves approximately 22,000 customers. Up

22 to 22,000 customers are served in the Greater Hazleton
23 area, which is comprised of Hazle Township, City of
24 Hazleton and West Hazleton Borough ---.

25 Like most other municipal entities,

45

01 wastewater treatment plants that are on the
02 Susquehanna River, we --- our permit that was just
03 released requires BNR upgrade. The sewer authority
04 just secured \$38 million in bonds. No PENNVEST money,
05 we're not eligible for it. Obviously, it's not
06 available. We are maxed out as far as our borrowing
07 power goes. We were rated on Wall Street with Moody's
08 and we got a B-plus rate. But as it stands now,
09 moving forward, it's not likely with our revenues that
10 we're going to achieve any additional bonding of that
11 magnitude for those feats. We were secured in at 4.6
12 percent, which is an attractive rate. In comparison
13 to PENNVEST, you know, one and a half, two percent or
14 less, it's not too rosy in that picture, but like I
15 said, we were very fortunate that we could get the 4.6
16 percent.

17 In this \$38 million upgrade, BNR is the
18 driving force behind it, but we're also incorporating
19 hydraulic capacity. And we're also addressing our
20 pump stations.

21 Our treatment plant was incorporated in
22 1961. In 1987 we went through a \$5.5 million upgrade,
23 hydraulic upgrade. Because of the I&I coming into the

24 system, it was determined at that time that was not
25 cost-effective to develop and address that I&I because

46

01 the preliminary numbers that we got at that time were
02 well over a hundred million dollars to separate the
03 systems.

04 Now, I remind all of you folks that my
05 testimony is similar in nature to Bernie Biga's of
06 Wyoming Valley Sanitary. They just happen to be 4.5
07 times the size water treatment plant. We have CSOs,
08 we have 15 of them. We have capital improvement
09 projects similar to what they have. We do not own a
10 collection system like they don't own a collection
11 system.

12 But what the Greater Hazleton area is
13 entertaining at this time is regionalization. It
14 should enhance our borrowing power. It should give us
15 control that we can go under one umbrella and we can
16 address the areas of concern that we feel is most
17 important. In other words, we want to get the most
18 bang for the dollar. That's what our goal is. And
19 the only way we can do that is if we control lines
20 within our municipal authority.

21 So with all that being said --- there are
22 some other considerations, in fact, capital
23 costs ---. Our normal expenses are going up just like
24 every other service in this region, probably tripled
25 by the price of oil. I don't think that that should

47

01 be a major factor. I do think that the committee
02 should be aware that the more we invest in our
03 infrastructure, the more likely it is that those costs
04 are going to stabilize or perhaps go down, modern
05 technology. The older your system is it probably
06 costs more to maintain. That's what it appears with
07 the Greater Hazleton treatment facility.

08 Affordability. Our rates historically
09 have been one of the lowest in the state with
10 communities of 25,000 or more. That came back to bite
11 us, so to speak. We used to boast about having the
12 lowest rates, but it doesn't appear like we were
13 investing in infrastructure like we should have been.
14 So perhaps this \$38 million that we're going to be
15 spending in the next two years, permit required,
16 perhaps that could have been curtailed. We don't know
17 that, but what we do know right now is that we did
18 raise our rates substantially, a 50-percent increase.
19 And obviously I heard from the general public on that.

20 And we're going up again in 2009 and that's the only
21 way that we can pay for this \$30 million bond. And
22 that's a sizable increase, so we're going up another
23 50 percent in 2009. That's a hundred percent a three-
24 year period. Prior to that it was 15 years before the
25 increase.

48

01 Innovative measures. It came to my
02 attention, through an engineering firm, I believe it's

03 based near State College, that they have a subsidiary
04 that they had requested DEP's regional office a water
05 reuse. I found this very intriguing because we have
06 15 CSOs that currently we're spending 1.3 million to
07 address one of them. Now, we can get PENNVEST money
08 for that one, that's aside from our BNR upgrade. In
09 any event, that was one of our smaller CSO selections,
10 the 20 force lines ---. We have 96 in-plants, 60
11 throughout the service area.

12 So what that means to me is this
13 presentation is we have to spend tens of millions or
14 hundreds of millions of dollars to put these satellite
15 treatment plants on our CSOs, or the alternative, we
16 spend approximately \$200 million --- and this is going
17 on the estimate from two decades ago --- to separate
18 the lines. Well, that doesn't seem to be cost-
19 effective.

20 So the pitch from this engineering firm
21 is they reuse the water, reuse the CSO water. And I
22 like that concept, but I didn't know if it was cost-
23 effective. And obviously the central office, the
24 regional office has to consider that as they go
25 through the permit process. What makes it attractive

49

01 is if we could send the water to the CSOs, remove some
02 of the heavy debris. And then this output can go and,
03 as they claim --- use of water through the limestone
04 banks and put it back into the tunnel, which is
05 ultimately going to a receiving waterway, in our case

06 the Susquehanna. That's water reuse. I certainly
07 think that's the direction that we have to go in the
08 future. And I hope that the regulators put that
09 thought into consideration. Obviously, if it's a
10 private enterprise and they're going to be looking for
11 funding and help from the government.

12 The sewer authority, the Greater Hazleton
13 Joint Sewer Authority currently brings the bulk of
14 their solids 100 miles one way into a landfill out in
15 State College. With the price of fuel hovering around
16 \$4.50 a gallon, you know, my question every day, is it
17 cost-effective. Well, what they're doing is they're
18 using our solids to fill the voids to enhance the
19 production of methane gas. And supposedly, from what
20 the landfill personnel told me, there's a steel mill
21 out there and they're selling the gas to the steel
22 mill and generating a profit of approximately \$75
23 million a year. So they're the types of innovative
24 technology that I think that all the municipal
25 entities of water and wastewater need to look to the

50

01 future.

02 I do have something else here. On the
03 local level, our user rates right now are about a half
04 a percent of the annual leading rate ---.

05 Now, I bring to your attention that the
06 Sewer Authority of Hazleton did participate in the
07 fact finding for this submission with DEP and we

08 received the follow-up report last month. And the
09 number came up to approximately 34,000. So the target
10 for an annual sewer rate, not including the collection
11 system, is approximately \$345. We're sitting at \$180,
12 plus the transmission fee is about \$182 in the City of
13 Hazleton. And it varies throughout the township and
14 the borough. We will be up to about \$250 to \$300 by
15 2010.

16 But affordability, you know, we have to
17 question it. And here's where I'm heading. When I'm
18 getting flack when we raise the rates for the average
19 citizen is, police tend to be the priority. We're
20 like every other small urban area, the crime rate is
21 going up and the dollars are to be spent with the
22 police force. And outlying areas, primarily Hazle
23 Township, they don't have a police force. I ask you
24 folks to be cognizant of that, a big problem on the
25 police force. How can you channel the dollars going

51

01 towards police and fire protection and now we're
02 screaming, so to speak, why we need it for water and
03 wastewater? So I think everything has to be done with
04 definitive direction that it's affordable.

05 Training. I'm a certified operator, I've
06 been for 20-plus years. I believe it was Veronica
07 Casey that headed the Operator Certification Act and I
08 think it was a good Act because it enforces all the
09 operators to go through these sessions where they're
10 required to get 30 contact hours through their cycle.

11 So with all that being said, I'm heading out to State
12 College for my hours for my license. And acid
13 management has been a topic of conversation for the
14 last six months to a year. I think we need to bring
15 acid management to the board members that we all
16 answer to, the decision makers. Acid management is
17 probably the wave of the future.

18 Example, we had a system that most of the
19 sewer lines are 40-plus years old. Why wait for one
20 of them to break and spend emergency funding and pay
21 top dollars. Improving acid management, well, do
22 analysis of the system and identify these areas that
23 are more apt to have a break, so we could spend a lot
24 less money and use that money towards infrastructure.

25 I also became aware of House Bill 2441.

52

01 We're installing about 750 million on behalf of the
02 Sewer Authority. We certainly are large proponents of
03 supporting that bill. If I'm correct with my numbers,
04 500 million of those dollars are for the water and
05 wastewater infrastructure. 250 million towards the
06 farmers for their nutrient reduction. I certainly
07 hope that bill passes. In addition to that, Senator,
08 I've been a big proponent of your bill that you've
09 been working on for quite some time.

10 And in closing, CSO, BNR, they are issues
11 of concern, but also if I could ask the task force if
12 any of us will be cognizant. Rising costs on all

13 fronts. Everywhere we turn it's going up, fuel
14 surcharge here, chemicals there, so as long as
15 everybody is cognizant, then we can move in a
16 direction that's fair and good for the entire
17 community. Thank you.

18 CHAIR:

19 Thank you very much, Chris. Thank you
20 for a good job. You know when you're asking
21 ratepayers for an increase, some of them do not
22 understand because they don't see the problem.

23 MR. CARSIA:

24 Absolutely.

25 CHAIR:

53

01 The problem is underground and just so
02 many it doesn't exist. Well, it's there and it's real
03 and it's getting more costly every day. Next is Tom
04 Lawson of Borten-Lawson Engineering. Afternoon, Tom.

05 MR. LAWSON:

06 Good afternoon. I'd like to echo a lot
07 of comments previously made. They come from the
08 contractor, the treatment sites, the City of Hazleton
09 folks, but I'm a design engineer. Water
10 infrastructure is not my expertise. I think the
11 Senator will tell you it's transportation. And we're
12 in a similar dilemma with the revenue funding. In
13 studying this issue in the last couple months, because
14 it's important to our regional economy, and our state
15 economy, and of course our national economy, I've seen

16 some similar problems, and that is the education of
17 the public. As to what the issues are, why we're in
18 them right now and what we can do about the revenue
19 sources that we need, in addition to all the other
20 social services, et cetera.

21 This is a monumental task and I want to
22 commend the task force for attacking the issue because
23 it's going to take a lot of education and leadership
24 from our Senate and the House and the Governor. So I
25 want to say I support the recent bill for the 750

54

01 million and your bill, Senator Musto, for a billion in
02 bonding to address the needs.

03 And if we can go down the list here of
04 the needs, assessing the needs to me cannot be done
05 with the fragment of oversight we now have. Some
06 communities don't even know what they have. They
07 don't have records of their sewer systems. So the
08 need assessment is going to be extremely difficult.

09 We need to consider non-capital costs,
10 such as studies to determine that need. And we're
11 talking about this money as a way to fund capital
12 improvements, but I noticed in some of your questions
13 you said what else do we need to do? We need to look
14 at the studies and opportunities for project
15 management of the many systems to create the
16 efficiencies and proper technical approaches to
17 solutions. The fragmented approaches we have now with

18 every community, especially small communities trying
19 to maintain their systems, if we could do it on more
20 of a regional basis, and we hear that word coming up
21 all the time, even in transportation now, we could
22 apply the proper expertise to even the smaller
23 communities. And, again, regionalization doesn't mean
24 you go to one plant for Northeastern Pennsylvania. I
25 think it means more than that and if you could have a

55

01 good management team such as the Wyoming Valley
02 Sanitary Authority that now only treats and really
03 conveys through the interceptive sewer system but all
04 individual communities can have their own sewer
05 systems. They don't have the expertise to analyze
06 their own sewer system and stay on top of the game.

07 And one example I would like to give you,
08 a recent land development subdivision we did. We
09 asked for approval for the sewage discharges into the
10 local system, got it readily from WVS ---. And their
11 answer was, we think we have a major issue with our
12 system, there's flooding all over the place. And so
13 my client had to spend \$10,000 to investigate their
14 system. And we found out that the flooding was due to
15 a barrel stuck in the sewer at one time and that's why
16 it flooded. But they couldn't even tell us where the
17 sewers were. And this is a community of 13,000
18 people. So they don't have the expertise to oversee
19 this, and that's where I see regionalization as
20 playing a huge part, because we have an ever-shrinking

21 base of expertise. Even when I try to hire people for
22 my firm, I have had to recently go to Michigan,
23 Florida, New Jersey, West Virginia, to hire people.
24 And I've had to do a tough recruiting tour of those
25 states to find the right people with the right

56

01 expertise to address what I consider to be a growing
02 community in Northeast Pennsylvania.

03 So if you have technical expertise, use
04 it efficiently, while addressing a multitude of the
05 regions' customers, et cetera. And then, nobody has
06 to lose their job because there's a place for
07 everyone. There's a shortage of manpower. And I know
08 some communities say, well, we had people who have
09 been out of work for 20 years, you could use them in
10 the regional approach very efficiently.

11 So I think affordability has to be
12 considered part of the equation, but only if it's a
13 part of the smart-policy approach which should include
14 financing and revenue-generating policies which
15 disperse the financial burden, this burden across the
16 broader region to level the playing field. We have
17 the haves and we have the have nots. And we have
18 communities that can afford to do some of this work,
19 we have communities that don't have a dime to do it.
20 So I think we have to do regionalizing of the revenue
21 generation so that the projects can be prioritized for
22 the broader good of the region.

23 And some communities will say, well, why
24 should we give up our money so that we can do work
25 down the road? Well, it just so happens that not

57

01 everything is fair in life. Not everything was built
02 up from an infrastructure standpoint, was built out at
03 the same time. The cities in our area, Pittston,
04 Scranton and Wilkes-Barre, Hazleton, they've had
05 infrastructure built a hundred years ago and now it's
06 taking in a lot of flows from outside their
07 communities. And then when the cities have the major
08 problems from those increase flows, they have to pay
09 to fix it. And the other communities upstream have
10 all this new development and I think you know where a
11 couple of those may be, they say, it's not our
12 problem, it's yours. Well, it is all of our problem
13 because those communities are then burdened to the
14 point where they just can't afford to do anything and
15 then we fix it when it breaks and it creates a huge
16 sinkhole at the next home, et cetera.

17 And I'm from the City of Wilkes-Barre
18 originally, so I know a lot of the issues because I
19 worked there after the flood, saw all the old sewers
20 and went through them. And I've been there. 1972,
21 I'll never forget it.

22 But the proper identification of problem
23 areas is going to take some work. And that's why I
24 think we can't just be talking about capital money
25 here, we need to talk about some engineering money.

01 You're going to say I'm blowing my own horn, but
02 that's what I know. I know that there's not enough
03 research and not enough engineering investigations
04 being done to find out where the priorities should be.
05 So I think that that has to be considered in the
06 future funding alternatives.

07 And let's just use some commonsense as to
08 what area should be done first. And it's not always
09 based on thoughts, it's based on the system being
10 really saddled with an issue. Even if it's a lot of
11 more fallacy, that one bottleneck may prove to be a
12 disaster and cause many more issues when the CSO
13 carries it.

14 Innovative measures. By the way, I just
15 put this together this morning, because I found out
16 when I signed up I was a speaker. I didn't know I was
17 a speaker.

18 CHAIR:

19 You're doing a great job.

20 MR. LAWSON:

21 Innovative measures. Certainly
22 conservation, we all talk about that. It's a
23 difficult one because we have an old infrastructure
24 system. But I think providing the changeups to
25 conservation-based fixtures, and especially in newer

01 homes or in buildings where a lot of that can be done

02 easily, then that's where expertise comes in. And
03 ensure adequate well-trained staff, DEP and other
04 regulatory agencies.

05 What we're facing now with PennDOT, DEP,
06 et cetera, we've had a large exodus of those baby
07 boomers with the expertise. And that's one thing I'm
08 fighting in my own firm. I'm sort of the baby boomer
09 in our firm. Having started the firm 20 years ago,
10 I'm on the verge of looking to those trips, you know,
11 vacation in my retirement years. But we do have to
12 make sure that DEP, PennDOT, DCNR, all those agencies
13 have adequate, well-trained staff.

14 And if you utilize a consulting community
15 as a partner, that can easily be done, I think. And
16 we should be collaborating on ideas, design
17 philosophies, et cetera, and working as a team, not as
18 adversaries as sometimes in the past. I think it's
19 getting a lot better. But we have to work as teams to
20 fight common problems. But form business/government
21 partnerships to flush out the permitting issues,
22 streamline review and project delivery time frame.

23 Again, PennDOT, we've done that through
24 the partnerships we formed on major construction
25 projects, anything over I think 10 million and we had

60

01 to sit down for three days and hash out how we could
02 do it better, more efficiently. I think that can be
03 done with infrastructure projects, such as DEP and
04 others ---. And reduce cost of improvements and try

05 design --- build best value competition. I think that
06 certain products could be designed with 30 percent
07 completion, put it out for a contractor consultant,
08 innovative concepts. And they'll bring the latest
09 technologies and solutions to that project, therefore,
10 reducing the costs. I think you'll reduce the time
11 frame for delivery in that.

12 The recent flooding a couple years ago,
13 we lost a lot of bridges. We delivered some bridges
14 took them out and replaced them in three months. You
15 do that through the normal process, it could be three
16 or four, five years. So I always say, why can't we do
17 that as a way to save time and, therefore, money.

18 Identify best practices where possible.
19 And, you know, I'm sure the task force is looking at
20 that right now.

21 And try to --- and this is something that
22 I mentioned to the Senator myself, not too long ago
23 and he was on top of it. He was already thinking
24 about it. But as a regional infrastructure district,
25 if you could identify the watershed area or

61

01 communities with common issues, et cetera, why can't
02 we establish a regional infrastructure district? It
03 could then be overseen by a logical entity authority,
04 whatever, raise revenues across that district for the
05 better good of the communities involved, prioritizing
06 the most urgent needs. And that's going to be hard to

07 swallow for some people because their town is fine.
08 Well, some aren't. And as those communities go, our
09 region suffers. And so I think to tackle this we need
10 to look at that type of approach.

11 Financial resources. I'm sort of stuck
12 on that one. I couldn't come up with one in the short
13 time I had to think about it.

14 The financial sustainability. The
15 regional approach, to spread financial resources
16 across political boundaries. Those that don't
17 regionalize, it's a tough thing to say to ---. And
18 I'm not in your seat, but I say, well, they don't
19 participate in the way that others do. And this is
20 tough love for tough problems. And certainly we're
21 sitting down together to form partnerships. I think
22 those are resolved through logical reasoning,
23 recognizing the issue facing our citizens and doing
24 the right thing for them. Regionalization may be
25 easier in the management arena, not the treatment,

62

01 because we have segmented communities. We don't all
02 flow in the same direction. So if we could have one
03 authority manage several or five or six systems
04 because of their expertise, centralized expertise, and
05 that's what I'm really shooting at here because,
06 again, baby boomers, a lot of the people with 25 years
07 experience are not going to be here any longer unless
08 we get them down to two or three days a week, like
09 they're trying to do with me.

10 But I think we need to be thinking that
11 way. And you don't have to give up anything here.
12 We're going to need every single person still be a
13 part of the overall team. And the demographics will
14 require training of younger staff. I forget whether
15 the first gentlemen mentioned that. We have some
16 education to do with our younger people and they don't
17 want to do this work. They don't want to do some of
18 the work that I have, in an engineering company that
19 has air conditioning, and a wealth of benefits. And I
20 say, what do you mean you don't want ---. I used to
21 climb through sewers on my stomach because my boss
22 told me to do that. Of course when you get smart ---
23 but I was a young kid. So I think that's the
24 challenge that we all face. We have to find how to
25 get people into this industry and do a good job and

63

01 find it can be a rewarding experience.

02 Grants for small-type developments should
03 be discouraged. Certainly there will be times when
04 economically that's the right thing to do for future
05 economic opportunities. But the dollars should be
06 spent on saving our vast in-place infrastructure that
07 if it's not replaced shortly it's going to be a
08 nightmare. And in a short time --- let me tell you,
09 some of the sewers that they dig up, they think we
10 should go further. We've got to replace more but
11 we're told to stop here. That's going to be the

12 problem next year, what we need to replace. And I
13 know some seven or eight-foot diameter sewers where I
14 used to live in Wilkes-Barre fail regularly. They're
15 attached and attaching will finally not work. And
16 they get faced with a multi-million dollar emergency
17 which will shut down the street for months.

18 Those are the kinds of issues I think
19 we've identified and plan for in the engineering
20 surveys that any number of firms can do. And I think
21 that's a good investment, go through comprehensive
22 review of regulatory issues, listen to providers who
23 are the people that run the systems that do the
24 maintenance. These are very good people, especially
25 in this industry. You'll find out that everybody in

64

01 this industry is very, very dedicated. They have to
02 be to stay in it, it's tough. Many of the engineers
03 that work for me in this area say it's a tougher area.
04 There's not enough money, et cetera. But they hang in
05 there because they see the public needs them. My
06 first boss ever from the Corps of Engineers says
07 you're a public servant, remember that. We serve the
08 public first. And I always tell them, we aren't in
09 private industry, we are public servants.

10 The legislative regulatory issues. I
11 think we should put some teeth into the bi-county plan
12 now, be prepared for losing our Lackawanna County,
13 first in the state, for two counties to get together
14 in proper land use planning to avoid some of these

15 issues in the future. Don't build these developments
16 way up that you have to build them and eight-inch
17 water main three miles just to get there. Try to
18 encourage the reuse of brownfields. The urban centers
19 are going to be key for the future. They're going to
20 be a place to live because of the cost of energy is
21 not going to go back to two dollars a gallon. If
22 anything, it's going to keep going up.

23 I've already looked at a little car to
24 drag around. I never thought I would do that, but I
25 want 35 to the gallon. I was happy with my pickup

65

01 truck before this. But the urban centers are going to
02 be the new residential districts of choice and
03 building centers, et cetera. But that's where the
04 infrastructure is the poorest. You're going to have
05 to redo some of that infrastructure for the kind of
06 flows you're going to experience it.

07 The CSOs, more important than ever.
08 High-energy cuts will change where people live. I
09 discovered that --- Senator Musto said recently in an
10 article, the CSOs are a serious problem when they're
11 an expensive fix. The urban areas have the oldest
12 systems with the most expensive repairs and they are
13 the least able to pay for it. We've got to get over
14 that hurdle somehow.

15 Erase all political boundaries. Most
16 issues are watershed or a regional issue. Half the

17 counties are understaffed to deal with it. A lot of
18 our counties, people go to the county and say, help
19 us, please. And yet when you look at county staff,
20 it's not there either. I think the Luzerne County
21 Planning Commission has three or four people in it.
22 They used to have many, many more people. These are
23 the people that know what's happening. They know the
24 needs, et cetera. So I think we have to stay on top
25 of that. The staffing, DEP, again, critical issue.

66

01 We lost a lot of expertise.

02 Recognize the need to educate the public.

03 I think this is the end of my short comments, but I
04 think any time you face issues like this, I go to the
05 public and as long as you educate them, they come
06 right along. Because they know if we don't do
07 something, their future, their children's future,
08 et cetera, et cetera, is not going to be what it can
09 be. If you drive down the highway and a bridge
10 collapses or a pothole causes a bad accident, you and
11 one of your family members ---. I don't mean to scare
12 people, but a bridge collapsed in Minnesota because it
13 wasn't being taken care of properly. The money wasn't
14 being spent. The same thing can happen to our
15 nation's infrastructure system below ground. It's the
16 least thing that's on anyone's mind because it's
17 underground. And they don't understand why it's such
18 an expensive issue, but believe me, a number of years
19 were spent rehabbing this valley after Hurricane Agnes

20 and I saw the need then and it's still --- it's worse
21 now. And I think we have to have a series of
22 educational forums. Just like Focus 81, when I helped
23 start Focus 81, it was to advise the public that we
24 had a one-and-a-half billion dollar problem on 81. We
25 don't have the money, so what can we do to manage 81

67

01 in a way that we can increase the safety, not have so
02 many accidents and still have commerce flow through
03 our area for our benefit and the northeast border's
04 benefit. So Focus 81, going along with that. And
05 sometimes I think we need other organizations that
06 will keep focusing for the next 20 years on educating
07 the public about how old our system is. It's been
08 relatively less expensive than it should have been to
09 maintain it because we just didn't have the money, but
10 we're going to have to because otherwise we could
11 reach failure. And failure won't be acceptable when
12 you have to walk around and ---. Can I just state an
13 example, Senator? I think I'm going over my time
14 frame.

15 One example is Holbrook. Holbrook is a
16 stream up by the Wyoming Valley Mall. We designed a
17 fix for it because there was a flooding problem down
18 in Wilkes-Barre and it was a street that was violated
19 by mining years ago. It had no home. It went
20 underground. It went into mines, still does. That's
21 where the Target store is up by the arena. And so we

22 came up with a recommended design, but the design was
23 a little more expensive than what we wanted because
24 the downstream communities said, wait this is not our
25 problem and we're not going to share locally in the

68

01 cost because it was created by those people up on the
02 hill with all the stores they put in. So we had to go
03 down a state highway, increase the cost tremendously.

04 Senator Musto helped us to get the PENNVEST funding.

05

06 The project fell apart, it never happened
07 because the costs were a factor, the cooperation
08 between the municipalities. That was under different
09 leadership, by the way. The current leadership in the
10 community is different, so the previous leadership
11 just said, I don't want to have anything to do with
12 this.

13 So now it's still the stream that it was.
14 It still creates a lot of damage up by the mall and
15 you read about it in the paper. You hear about it in
16 the news. If we attacked that project from a regional
17 issue or a watershed-based approach, that could have
18 been done under proper legislation.

19 The other one is downtown Wilkes-Barre, a
20 partnership, now they're trying to get the downtown
21 revitalized. And one of the issues that CSOs are
22 talking about here today, when you walk around the
23 public square, sometimes you can't stand the odor
24 because the inlets are tied into the sanitary sewers.

25 And I've been trying to figure out a way to conquer

69

01 that without much expense because it's just offensive.

02 And I don't want to lose customers downtown because

03 they notice this horrible odor. So that's one other

04 issue. I have three examples here. My age is

05 catching up with me. I'm almost forgetting --- I

06 probably do forget the third one. Yeah, I do forget

07 the third one, so my apologies.

08 But you see I'm passionate about this.

09 We need passionate people involved. The state is

10 doing a great job now by recognizing the issue. I'm

11 not sure if the other states are tackling it so head

12 on but I commend the task force. I commend all you

13 people for being here today. I think we can work

14 together and solve this issue. It's still going to be

15 a funding issue for long term. It's going to be tough

16 to get all of those dollars we're talking about for

17 CSOs, upgrading the plant, et cetera, in Hazleton and

18 all the other communities. But thank you very much, I

19 appreciate it.

20 CHAIR:

21 Thank you, Tom. Any of your

22 recommendations will be certainly given full

23 consideration by the task force. Joe Shacky

24 (phonetic). Joe, you're up.

25 OFF RECORD DISCUSSION

70

01
02
03
04
05
06
07
08
09
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
01
02
03

CHAIR:

We'll call on our next presenter, Michael
Gallagher from PENNVEST.

MR. GALLAGHER:

Thank you, Senator and members of the
committee. First of all, I need to make a disclaimer.
Comments I'm making this afternoon are those
reflecting my own experience, do not reflect my
employer, okay?

As the framework for our discussion, I'd
like to suggest that pollution elimination, and I
chose that word elimination, needs to be a national
and an international effort. I hope that the
committee, part of their recommendation will conclude
that sentiment conveyed to our national
representatives, our Governor who serves on the
Infrastructure Committee, our U.S. Congressman and
Representatives. We cannot do this by ourselves,
okay. We need to bring their capabilities and
resources.

We do have some resources ourselves and I
suggest there are seven in nature. They include land
use planning and regulations, improve technology,
conservation, cost-effective management, cost-
effective operation and maintenance, effective and

71

efficient regulations, wise use of tax dollars.
That's probably a universe of possibilities, okay?
But let's examine some of those and let me share some

04 possibilities.

05 Land use planning and regulations. We
06 need to tie together the municipality's planning code,
07 the MPC, with the Act 537 regulations and Act 167,
08 along with highway and transportation planning. The
09 state subsidizes most of these programs, most of these
10 efforts separately, but it would seem to make much
11 more sense for a municipality to undertake all of them
12 as one data pool.

13 Speaking about planning, land use
14 planning. Twenty (20) years ago legislation required
15 the preparation of a statewide water plan. Several
16 years ago additional legislation required the same
17 thing, water plan. We have it maybe today that's
18 looking into the water. In addition, DEP has
19 undertaken a survey to identify the wastewater needs
20 of the state.

21 It is scary to me to realize that we
22 don't know what our needs are. Think about it. We're
23 dependant on these facilities yet we don't know what
24 our needs are. What do we do about it? Hold on to
25 your hat. Maybe this is time to have a statewide

72

01 planning agency. They don't exist. At least
02 consideration should be given to having all state
03 agencies have the same regional service areas.

04 Improve technology. I had a comment to
05 that. Including openness to new methods, national and

06 internationally there had been a great deal of
07 research and innovations. The state even sponsors
08 some research. The difficulty seems to be becoming
09 informed about the new technologies and a willingness
10 to implement such technology. There's a great
11 hesitation to actually implement new technology.
12 Thus, consideration should be given to identifying and
13 communicating new technologies. There's worldwide
14 research, national research, state research. The
15 design community needs to better understand what's
16 available.

17 Now, I know that some of this new
18 technology may fail and has failed. Therefore,
19 perhaps consideration should be made to the
20 establishment of an insurance fund to partially
21 protect systems which use new technology.

22 Moving along to conservation. Well, the
23 value of water has not been fully realized in the
24 east. In many communities it is well underpriced.
25 There's no money for replacement and even for repairs.

73

01 Systems frequently are understaffed with unattractive
02 salaries. Money gets people's attention. With proper
03 pricing, the consumer will be empowered to make a
04 choice and conservation will be enhanced.

05 Cost-effective management. Many of the
06 problems associated with inefficient and underfunded
07 water and wastewater systems stem from limited
08 resources, especially associated small systems. Thus,

09 county-wide or watershed-wide authorities should be
10 facilitated with priority for all financial assistance
11 and employer-employee guidelines. These authorities
12 may not have physical interconnection but can provide
13 administrative procurement and financial enhancement
14 to physically-distinct systems.

15 As I sign them, I've noted with concern,
16 frustration, the fact that there are treatment systems
17 that do not maintain collection systems. I understand
18 there are reasons for that, but the end result is that
19 no one maintains the collection system. It all comes
20 down to the treatment facility. I'm talking about
21 wastewater in particular. So consideration may be
22 given to require treatment facilities to ensure that
23 there is a maintenance program for their associated
24 collection system, whether it's owned or not.

25 Cost-effective operations and

74

01 maintenance. We have great programs in the state. PA
02 American Water Works has a great program in terms of
03 their partnership thing. DEP has a great outreach
04 program. PA World Water, these agencies all have
05 great programs. I just suggest that these need to be
06 facilitated, funded and coordinated

07 Efficient and effective regulations.
08 Time is money. Processing a permit should not require
09 six months or more. Time is money, and thus each long
10 processing time escalates the project cost. Delays

11 have been reported at all levels. The River Basin
12 Commissions, the conservation districts and DEP, these
13 agencies need to be staffed at the appropriate level
14 and the regulations need to be evaluated on cost-
15 benefit basis. It should not cost the community
16 library, \$14,000 just for the design of a stormwater
17 management plan to pave their stone parking lot. Nor
18 should it cost a homeowner \$60,000 for stormwater
19 management facilities. Nor should it cost the
20 residents of the township like half their annual
21 household income to meet state sewage treatment
22 requirements.

23 Some of these other issues, as a side
24 note, relate to the procurement limitations the state
25 has imposed. We're reluctant to utilize design build

75

01 in Pennsylvania. There's no clear-cut legal
02 distinction stating whether we can or can't. One or
03 two systems have used it and others are reluctant to
04 do so, yet nationwide when one reads the professional
05 and industrial literature and procurement, design
06 build seems to offer substantial savings in many
07 systems.

08 Also, we have something in Pennsylvania
09 called the Separations Act. This makes responsibility
10 for overall construction of a project unknown.
11 Unknown. Who's responsible for the project when you
12 have four different contractors building it? The
13 answer is no one.

14 Let's talk about money. Money, we all
15 know, is limited. And I'm glad to see the committee
16 recognizes their options other than money. We need to
17 find ways of using it effectively and efficiently.
18 All financial aid, all financial aid should be in the
19 form of a revolving loan. That's right, no breaks,
20 guys. We need to find the means of funding
21 improvements in the future for our kids. I think the
22 National Government started this process with a
23 revolving loan program. We need to continue it and
24 not fall backwards.

25 All state funding should only be an

76

01 implementation of a component of a comprehensive land
02 use plan and a system approved asset management plan.
03 The Authorities Act should be amended so as to limit
04 the use of residual funds after dissolution by the
05 grading government to the use of the utility. Let me
06 reword that. That is the borrower, or township, to
07 have to use the authority funds collected for the
08 purpose for which it was originally collected. The
09 municipalities should not be able to sweep clean an
10 authority, the authority's funds, and use it for other
11 purposes.

12 If you're looking at other funding
13 sources, other funding programs, I hold PENNVEST out
14 as a model, not perfect, not perfect by any stretch of
15 the imagination but improving. Improving in terms of

16 we're now approaching electronic processing for the
17 application, for funds' disbursement and for loan
18 closing. All of these issues in the past have been
19 time consuming and problematic. But the PENNVEST
20 program has made great strides. I recognize that the
21 legislation establishing PENNVEST may have some
22 limits. I suggest that PENNVEST could be contracted
23 if the regulations can not be changed to provide
24 administrative services for funding.

25 I guess in conclusion, I know it's

77

01 getting late, I thank you, Senator, for the
02 opportunity of sharing. I thank the committee for
03 their time and effort. And in conclusion, we don't
04 have to recreate the wheel, just improve
05 communications and coordination. And we need to keep
06 God in mind as we go through this process. Thank you.

07 CHAIR:

08 Thank you, Michael, for your very
09 informative testimony. Of course most of us here know
10 Mike Gallagher over the years. He moved to PENNVEST
11 since its inception. Mike, am I correct?

12 MR. GALLAGHER:

13 Yes, sir. Well, a couple months late, a
14 couple months late.

15 CHAIR:

16 Thank you for your good work. Thomas
17 Mertz, Mahoning Township Authority. Thomas.

18 MR. MERTZ:

19 Thank you, Senator, and the Committee. I
20 recognize and I look at and hear some of these war
21 stories.

22 CHAIR:

23 You have a couple of your own.

24 MR. MERTZ:

25 Yes. I will present to you --- I will

78

01 keep it brief. My name is Thomas Mertz. I've been
02 involved since 1970 with Mahoning Township Authority
03 Water and Sewer, Montour County. In 1971, I
04 implemented efficiency. The mission statement says
05 who pays, we should not pay. We implemented that
06 mission statement almost to its fullest content. In
07 1971, I looked at it, see their debt. We have not
08 borrowed since 1971. We further implemented tap-in
09 fees. In the early 70s, all items were paid, there is
10 no debt, and we had surplus funds.

11 We developed standard specs, regulations,
12 developers' agreements, and they could not go on our
13 system until they paid 100 percent. Otherwise it
14 wouldn't have been advantageous for the authority to
15 look at a reinvestment of tap-in fees for the growth
16 of the community. We have GPS, we have GIS. We know
17 where everything is, we do I&I spies. And under the
18 Municipal Authorities Act, O&M plus ten percent is all
19 you're allowed, O&M plus ten percent, and that's what
20 we do. Our rates are \$16.01 for sewer, \$17.01 for

21 water.

22 Now, we're tied up with Danville Borough.
23 They put a plant in, in 1951. In 1951 it was the
24 primary treatment ---. With age we developed our own
25 sewer collection and distribution system and retained

79

01 capacity at both plants. There are some roadblocks,
02 and they were put in, in PSAT (phonetic) testimony.
03 Anyone in your committee who has that first letter of
04 the mission statement and also anybody on the
05 committee, every one of us sat for the testimony for
06 the PSAT. And some of those are in my notes here.
07 And the most important asset is the infrastructure.
08 We manage it in our distribution systems, collections,
09 and reserve capacity.

10 I heard a statement of source of income.
11 Everybody says we have a source of income, the
12 ratepayer. The ratepayer in our area can hardly stand
13 it and --- when they spot 30 to 35 million to do the
14 upgrades to those plants ---. We did the needs'
15 assessment, moving on 40 years. All our needs were in
16 that capacity for those plants were water and sewer on
17 developed areas, land development plans.

18 The word reasonable still gives me a
19 little problem, what is reasonable to who? You know,
20 it depends on who you're talking to. The other part
21 of it is, one day I heard the statement on the
22 Pennsylvania Cable Network or the PSAT, they said no
23 engineer left behind. Well, there's no attorney left

24 behind, there's no investment banker left behind. And
25 the biggest problem we have is prevailing wage, but it

80

01 cost one-third more to do all these projects and
02 they're not left behind either in this process.

03 I'll cross over from the authority
04 portion of this. The township has less than one mil
05 tax. I have here, it's three point --- real estate
06 taxes is .00384, and the fire is 002.04. That's our
07 tax for real estate and fire. We are 59 percent tax-
08 free, which includes the Geisinger Medical Center,
09 that's the State Hospital, and many others. I don't
10 know if I put that in there for you, the copy, but
11 I'll give it to you. They are the sources. So when
12 we have all of these, we still overcome, the township
13 has revenue. We're in the process of updating our 537
14 and there are some areas we're having sewer
15 replacement. We will not have to borrow the money to
16 do it.

17 Now, based on Montour County's 20,000
18 people, Danville, Riverside, Mahoning Township is only
19 --- say 12,000. Nothing compared to your war stories
20 I've been hearing out there. But we find a lot of
21 communities take their revenue and they use the
22 revenue for the town, we do not. We have the CP fund
23 for reinvestment.

24 I note an example where the excess
25 interest out of the sewer fund was to offset their

01 taxes. Totally, under the Municipal Authorities Act,
02 illegal. They do it, they do it all over. The next
03 thing they do, they do not charge tap-in fees. Now,
04 our tap-in fees, you'll see my standard specs of
05 rates, rules, and agreements and all the other data
06 that's given to the developer. You want to develop
07 here, we have one thing, we have reserve capacity for
08 water and sewer, we have infrastructure. We have it.
09 We must manage those assets. It works. I've been
10 doing it since 1971.

11 So there are other factors, what the
12 state legislature gives away, tax exemption. I mean,
13 it's a nightmare for us. The tax exemption, under the
14 Charities Act, it is a nightmare for Mahoning. I'm
15 sure you read it. Some of the stories and lawsuits
16 and stuff under UCC. Here's a typical --- under the
17 UCC. These are all indirect or direct effects on a
18 municipality. UCC was enacted and developers or
19 builders normally understate the value of the permits.
20 It's commonsense, don't do it, but they get away with
21 whatever they can. They don't like it, don't care.
22 Independent --- been in business since 1961, retired.
23 I devote full time to it as a volunteer.

24 One day a very large project came in and
25 said, project, 16 million. People came through, the

01 UCC, Zoning. It backed in at 18. Now you can read
02 in the paper that there's a lot of people over there

03 as the opening, now's it's 21 million. So it was
04 really understated. And by the way, on this
05 particular development --- I don't mean to pick on it,
06 --- they did not have to ---. You have the language
07 of the Uniform Construction Code. I picked up the
08 telephone, I called Harrisburg. I don't remember, I
09 think Bolson (phonetic), the head of it, Bolson? The
10 head of the UCC? How did this miracle happen? So he
11 started to tell me. If you had engaged architects
12 prior to the enactment, it was --- it's in. So I
13 said, how many of these wonderful programs are going
14 to come out the chute? Well, he said they did know
15 that there was a lot of school districts that was
16 being built or in the process, had architectural
17 designs and they would be exempt. I said, oh, that's
18 wonderful, where the hell are all of these places?
19 Fantasyland, I believe. And I was like this is
20 ridiculous.

21 But if you look at the testimony from
22 January in Pennsylvania Township News and people
23 moving here from New Jersey and New York wherever,
24 tell the Mahoning Township, the streets that used to
25 be cow paths, now they're streets. But we put the

83

01 infrastructure in, we paid for it. We have a police
02 department, water and sewer, we have the fire
03 protection. And with that millage and 59 percent.
04 And somebody put legislation, if you were 17

05 percent ---. We do collect payment --- taxes. The
06 one very large pair reduced it but the shell game
07 starts. What happens if you look --- well, I'll show
08 you that. They were going to increase their tax
09 exemption under the Purely (sic) Charities Act by \$9
10 million from one year to the next. So in essence,
11 when you total it all up, Mahoning --- and that's
12 what, about 50 percent, the county gets 20, and the
13 school district gets 20 percent. That's what they're
14 receiving.

15 What I had done, I tried to retain the
16 best engineers and the best attorney because I'm not
17 an attorney and I'm not engineer, but I do know
18 numbers. So that's what we had done. And when we
19 developed these, then when they come in to want to put
20 in a development ---. And we're developing, we're
21 putting \$700,000 and \$800,000 homes ---. Lots are
22 going for \$150,000. The cost of one new home which
23 got under way, to complete it for the infrastructure,
24 it was almost \$3 million. They're selling the lots.
25 It's unbelievable. But it's management of dollars and

84

01 the mission statement. Most of that had been put into
02 place.

03 There's still improvement. We're always
04 changing and upgrading for the simple reason, if we
05 had a good attorney, they had a good attorney. If we
06 retain them ---. I'll mention the name, a lot of you
07 will know him, George Aman. I've known George for

08 many years. And I'll pass on he was the Solicitor for
09 the Pennsylvania Municipal Authorities Association.
10 He helped write some of the legislation for the Act.
11 So we retained him.

12 So that is a brief story. I have given
13 you a copy of all those --- what we implemented, how
14 we implemented them, and stats rates with the
15 regulations, we give the cheat sheet, the
16 responsibility of the developer. We do not subsidize
17 development. We make them pay. They want water and
18 sewer, yeah, go ahead, there's water and sewer
19 available, then pay. Very small scale compared to
20 everybody else but it's just a matter of a couple more
21 zeros or more what they'll pay.

22 CHAIR:

23 Tom, what you have submitted will
24 certainly be included in the record; okay?

25 MR. MERTZ:

85

01 Thank you very much.

02 CHAIR:

03 Thank you. Jim Razine (phonetic) is not
04 present this afternoon, is he? Jim?

05 All right. We call upon Yvette Austin
06 Smith. CRA International. Welcome.

07 MS. AUSTIN SMITH:

08 Thank you. Good afternoon. I am Yvette
09 Austin Smith with CRA. I know it's getting late this

10 afternoon, so I'll try to keep my remarks fairly
11 brief. I'll describe CRA just a moment for those of
12 you that aren't familiar. But first I just wanted to
13 say thank you for the opportunity to present, both to
14 Senator and to the other members of the task force.
15 And also just briefly to commend the Governor and the
16 Commonwealth for really taking such a proactive stand
17 on the challenges of water and wastewater
18 infrastructure. Someone had remarked earlier, they
19 weren't sure if other states were being as proactive,
20 and I would say that simply based on our experience
21 this is a commendable level of effort and achievement
22 in looking at this issue.

23 So just very quickly, CRA, also Charles
24 River Associates, in a 40-year-old business and
25 economics consulting firm. We have approximately 750

86

01 professionals in 26 offices around the world. We
02 specialize in advising companies, investors and
03 public-sector entities in industries that tend to be
04 characterized by heavy regulation that are asset
05 intensive and that tend to have some significant
06 exposure to underlying commodities markets.

07 Of particular relevance for this meeting
08 and for this effort, CRA has advised on sales,
09 privatizations, modernizations and restructuring of
10 water and wastewater assets and utilities in the U.S.
11 the Middle East, Asia and in Europe. As I mentioned
12 in the start of my statement, sir, I'm the managing

13 director with CRA International. I'm with their New
14 York office and I head the Corporate Finance Advisory
15 practice.

16 As CRA understands it, there are five key
17 areas on which the task force is focused in order to
18 provide its findings to the Governor. We heard
19 earlier from the DEP that these areas are needs'
20 assessment, innovative measures, financial resources,
21 financial sustainability and legal and regulatory
22 issues. For the remainder of my remarks, I hope to
23 provide the task force with a few productive
24 suggestions and ideas based on CRA's experience in
25 water and wastewater, and ideas that hopefully are

87

01 productive and helpful in meeting the mandated task
02 force.

03 The theme of my remarks will really be
04 focused on one key topic, which is increasing private-
05 sector investment in water and wastewater assets in
06 the U.S. There are varying manifestations of private-
07 sector involvement in water and wastewater assets
08 around the globe. If you were to display a few
09 examples along a continuum, in the middle of that
10 continuum you might find Europe, particularly Western
11 Europe, in which a significant portion of the water
12 and wastewater assets are owned by private-sector
13 entities. These assets contend to have been built and
14 managed for some period of time by a public-sector

15 entity before being purchased by a private-sector
16 agency, but now are solely in the hands of the folks
17 like Macquarie Bank, in the instance of Thames Water,
18 and most recently Southern Water was just purchased by
19 a consortium of investors, infrastructure investors,
20 that was headed by JPMorganChase.

21 If you go to another end of the
22 continuum, you might find arrangements that are more
23 common in developing or emerging economy in which the
24 public sector may lack some combination of the
25 financial resources, the technical resources, or in

88

01 some cases just the political incentives to create a
02 modern water or wastewater system. In these instances
03 you have the private sector really stepping in and
04 building and operating the systems of the utility. In
05 many cases, the government is the sole customer and
06 didn't take responsibility for distributing out water,
07 distributing those services throughout the population.
08 And then after some period of time, usually a period
09 of time that coincides with the investment horizon for
10 the private sector at a time sufficient to earn a
11 return, the facilities may be turned back over to the
12 public sector.

13 At the other end of the continuum, and
14 certainly what is much more common here in the United
15 States, are long and medium-term operations and
16 maintenance, O&M contracts. I'm sure many of you in
17 this room are familiar with those contracts. And that

18 tends to be what people are referring to when they
19 talk about public/private partnerships in the United
20 States when it pertains to water and wastewater.
21 There are some different correlations of PPP's when we
22 move into transportation and other sectors. But in
23 war, people tend to be talking about O&M contracts.
24 You know, assuming that the two largest players in
25 this state and in the U.S., and then particularly in

89

01 this part of the country being Veolia and CH2MHill or
02 you have investor-owned utilities like York Water, all
03 of whom are managing and operating a municipally-owned
04 system. That is particularly relevant for this
05 conversation.

06 And although many of those arrangements
07 would include a capital asset management program, the
08 assets themselves continue to be owned by a
09 municipality. And there has been a reluctance in the
10 U.S. to move towards more direct ownership of the
11 water and wastewater assets by the private sector.
12 And while I would say up front it certainly is not a
13 solution that is appropriate for every circumstance in
14 every situation, I will say that at CRA at least what
15 we feel is that the initial reluctance to explore
16 that, at least in some instances, takes off the table
17 what could be a viable alternative for some
18 municipalities, including some in private/public
19 sector and the Commonwealth.

20 So first just to talk a little bit about
21 what some of the potential benefits of a more
22 continual public/private partnership, and let's call
23 it private ownership of the assets, what some of those
24 benefits might be. So there are four main benefits.
25 The first is that such a transaction, whether

90

01 structured as an asset sale or as concession agreement
02 that leads to an eventual asset sale, would involve
03 mutually a significant upfront payment to the
04 municipality or to the public sector. And then they
05 all have a structure that maybe a large upfront
06 payment followed by a schedule of future payments.

07 A second specific benefit is that these
08 arrangements transfer risk from the public sector to
09 the private sector. And the reason why that tends to
10 be sort of commercially viable is because often the
11 private sector had a greater amount of flexibility for
12 properly absorbing that risk. Whether it's through
13 risk-sharing arrangements with other private partners
14 in an investment consortium or the use of financial
15 --- transactions of financial structures that are just
16 left available to the public sector in part because
17 you may need some scale of transactions and scale of
18 size in order to really take advantage of that.

19 Third, many of these arrangements in
20 which waste --- well, water and wastewater assets are
21 actually sold to the private sector, they included an
22 arrangement upfront whereby the rates are specified.

23 The rate or the increase in rates are specified, at
24 least over an initial investment horizon. And so the
25 third benefit is that these arrangements can actually

91

01 provide some greater level of certainty and
02 transparency for system rates a little bit at the
03 current time and go on for some period of time.

04 Finally, the private sector. You
05 accessing the private sector and bringing the private
06 sector involved in this instance is no different than
07 that O&M contract, is that the public sector is often
08 able to access technological expertise that would be
09 difficult, particularly for a medium or small-sized
10 system, to obtain and be obtained efficiently on their
11 own. And so the benefits of private-sector ownership,
12 asset ownership, can be substantial. But it is
13 important for the public sector to really understand
14 when and under what circumstances, you know, such
15 benefits are likely to be realized. When does it make
16 sense to think about a more traditional public/private
17 partnership --- or private ownership of the assets,
18 pardon me.

19 So, you know, fundamentally, a
20 public/private-sector involvement is a viable option
21 if the private sector partner can assist a public
22 sector in meeting a need that the public sector either
23 cannot or simply cannot efficiently meet. And
24 examples of inefficiency would either mean obviously

25 just actual costs in excess of the project benefit or

92

01 opportunity costs in excess of the project benefit.

02 Which is to say that the public sector might be in a
03 position or perhaps should be in a position to direct
04 those funds elsewhere for the benefit of the relevant
05 society as a whole.

06 It's important for the public sector to
07 be able to accurately identify the population's both
08 current and anticipated water needs in order to begin
09 to assess a private sector proposal. Now, let me
10 pause me for a minute because when we talk about needs
11 here, infrastructure needs, it's a little bit
12 different than some of the concepts I believe that
13 have been talked about today. At CRA really our
14 approach is to understand, in a sophisticated way, the
15 demand for water. And by water I mean both water and
16 wastewater services and not the infrastructure needs
17 to those anticipated demands. So that an assessment
18 of need does not necessarily begin by categorizing and
19 listing out the infrastructure assets and focusing on
20 sort of a place for those assets that are in need of
21 replacement but rather looking at the demands for
22 water over time and matching the infrastructure needs
23 to be consistent with that demand.

24 And so just to talk a little bit about
25 how we look at demand for water services. The demand

93

01 for water and wastewater services should be stratified

02 by use. And simplified categories of use just for the
03 sake of discussion would be residential, commercial,
04 industrial. In an actual demand study it would be
05 necessary to create more specific categories of use.
06 And the use categories are important because it allows
07 you to begin to identify parameters such as volume,
08 water quality and volatility of demand, that is peak
09 and non-peak demand, amongst different segments of
10 population.

11 Further, the stratified demand functions
12 for water and wastewater services should be understood
13 under various but likely demographic, economic and
14 climate scenarios. And so, depending on the locale,
15 depending on the geography, some of those factors
16 might be more important than others. So for example
17 in the Commonwealth, it may be important to better
18 understand the demand for water and wastewater
19 services due to the state's population shift from
20 urban centers to outlying residential community. So
21 what does that mean in terms of not only what are the
22 chronic demands or what have been the demands in
23 industry, but really looking forward because the line
24 would be backed up to the wall, what is the
25 anticipated demand likely to be and matching the

94

01 infrastructure needs, and really identifying the
02 infrastructure needs on the basis of that demand.

03 You know, to some of you I'm sure this

04 may sound a little like commonsense, it may sound
05 quite obvious, but I will tell you that, you know, CRA
06 often found that such demand data, particularly the
07 forward-looking data is just simply not available.
08 The reasons may not be surprising. It can be a
09 resource-intensive issue, particularly when individual
10 small municipalities take it on themselves. And it's
11 simply exacerbated by the fact of the heavily-
12 fragmented nature of water and wastewater services in
13 the U.S. and in the Commonwealth as well.

14 Jumping ahead a bit, you know, let's
15 assume that a municipality or a region has determined
16 that its resources are inadequate to meet the system
17 demand. They understand what the demands are, they
18 understand what the infrastructure needs are to meet
19 those needs and there just simply aren't sufficient
20 resources to meet those. And certainly, that's one of
21 the reasons why we're having this discussion today and
22 why this task force has obviously been formed.

23 I'm also going to skip another point
24 which is important certainly in the state of actual
25 analysis but just for the sake of time today. Let's

95

01 also assume that the municipality has exhausted
02 opportunities and these sort of innovative
03 opportunities for increasing revenue or reducing
04 costs. Clearly a very important step and clearly any
05 step that we would counsel a public-sector entity to
06 fully explore, probably even before seriously seeking

07 out a private-sector partner. Because in some
08 instances, you know, the economic benefit that the
09 private sector is going to realize by an act of
10 ownership strategy is, in fact, implementing some of
11 these innovative measures that at least some
12 municipalities, some public-sector entity have a
13 capability to implement in full or in part themselves.

14 But if we assume for a moment that we
15 have gone through both the traditional and also non-
16 traditional sources of resources and funds and I've
17 found those to be inadequate, then the question might
18 arise, you know what types of projects would be good
19 projects, or could be good projects for a private-
20 sector investment? So just a few key considerations.
21 It's certainly impossible to catalog an entire list.
22 And there is no one size fits all solution. So it is
23 important to think through these issues carefully and
24 for each location of the municipality.

25 But there are a couple of overriding

96

01 things. So one is that the project must be of
02 sufficient size to drive economies of scale. And so
03 in practice it's extended to an even large urban
04 system for consolidation of smaller systems. You
05 know, the latter may be particularly interesting to
06 the Commonwealth given that, you know, that greater
07 regionalization has been identified as a possible
08 solution and has certainly been discussed today.

09 Another possibility to provide a larger
10 scale investment opportunity for the private sector
11 would be to consider private-sector investment in
12 PENNVEST or something --- or a similar kind of
13 program. Now, I'm obviously --- I'm not addressing
14 the regulatory and legislative framework of those
15 programs, but I'm really talking about the idea, the
16 concept. You know, for suitable opportunity, a
17 private-sector participant may be able to provide
18 incremental debt or equity financing that could be
19 combined with admittedly lower cost but limit debt
20 financing of the existing PENNVEST program. This will
21 allow the private-sector participant to allocate risks
22 across multiple water or wastewater projects, thereby
23 mitigating the overall risk that the investor faces.
24 The end result being that the financing costs would be
25 lower.

97

01 One of the consumers of the private
02 sector in investing into the sphere is an ability to
03 mitigate risk. And you mitigate risk either by
04 investing in a number of projects and buying a
05 portfolio investment, some of which are winners in the
06 portfolio from a financial perspective, some of which
07 are losers in the portfolio from a financial
08 perspective, but certainly across the board it
09 provides an acceptable level of risk and acceptable
10 level of return. And there may be a way to structure
11 the PENNVEST-type program in which you could encourage

12 private-sector involvement not to crowd out the
13 existing program by any means, but to offer that
14 program more --- additional funds ---.

15 A second key point that arises in terms
16 of thinking about projects in which private-sector
17 involvement could be profitable and could be
18 successful is that the public-sector sponsor, whether
19 that be a municipality or region, needs to be able to
20 demonstrate the political commitment to successfully
21 pursuing private-sector investment. The support can
22 be in various forms, some forms of which already exist
23 in a Commonwealth, enabling legislation, policy
24 statement, the establishment of certain tax
25 incentives, including active tax-advantage debt

98

01 financing or a transparent-regulatory regime.

02 Let me farther also say that in some
03 cases --- and I don't think this is in all cases. In
04 some cases there may be a need to reconsider the
05 regulatory regime to make sure that it's providing
06 like incentives.

07 There are certainly instances that we
08 have seen in this country where the result of the
09 regulatory regime, even if it was not the intent, is
10 that there's sometimes not enough incentive to operate
11 the system efficiently. And so certainly that's
12 something that may need to be looked at in the case of
13 the increasing and encroaching private-sector

14 investment. You know, as many of you know,
15 infrastructure transactions, particularly involving
16 private sector party participants, can increase
17 substantial political backlash. Similar protest has
18 derailed a previous effort to increase private-sector
19 investment in water and wastewater assets. And I
20 contrast that with the fact that it's extremely
21 expensive, both in dollar cost and in time, for the
22 private sector to conduct the necessary pre-
23 transaction marketing and due diligence to decide
24 whether to invest in opportunities. And so as a
25 result, the private sector has demonstrated that it's

99

01 really only willing to make such an investment when
02 there's assurance that the project at least is
03 politically supported.

04 You know, given the Governor's support of
05 public/private partnerships, most notably in the
06 transportation industry, Pennsylvania Turnpike, you
07 know, the Commonwealth should be well-positioned to
08 really attract high quality private investors. I
09 mean, I just, you know, point to the example of
10 Illinois. And once they made a commitment to the
11 public/private partnership ---. Although certainly
12 not everyone agrees with all of the sectors in all of
13 the areas in which the state has ruled out
14 public/private partnerships, one thing the state has
15 to be able to do is to really attract bid teams that
16 have the financial wherewithal and the technical

17 expertise to really form a robust process.

18 The last sort of --- well, the third sort
19 of key point that I will point out is just the
20 assurance of identifying projects for which private-
21 sector investment is likely to be more successful is
22 that the project should consist of discrete and
23 identifiable cash flows without the existence of
24 cross-project subsidies. You know, the cash flows may
25 be linked to a specific asset or a set of assets,

100

01 specific geographic boundary or a specific scope of
02 operation. And the needs' assessment that I was
03 speaking about earlier, the demands or the needs'
04 assessment, can really help a public sector
05 appropriately identify a scope in which the private
06 sector would find attractive for investment and in
07 which the public sector would benefit from that
08 private sector.

09 Just one final observation I want to
10 make, just based on what we saw and what we've seen,
11 what is still an emerging area, that being private-
12 sector investment and asset ownership in water and
13 wastewater. You know, the U.S. has seen a different
14 private-sector appetite for water versus wastewater.
15 Wastewater has generated greater interest. We think
16 it's because of four key reasons. One, the ownership
17 of wastewater systems in many areas is actually less
18 fragmented than that of a water system. The

19 regulatory framework is more straightforward. It's a
20 bit easier for I mean --- quite frankly, it's a bit
21 easier for the private sector to understand. Three,
22 there has traditionally been less political
23 sensitivity to private-sector investment in wastewater
24 versus water. People are much less concerned with the
25 water leaving their home than they are with the water

101

01 coming into their home. And lastly, you know,
02 wastewater and its byproducts are increasingly being
03 recycled to create assets of --- new assets of
04 economic value. And so there's certainly an
05 attraction there from the private sector taking a,
06 what was considered frankly to be a non-asset in many
07 faces and literally turning it into an asset that
08 actually generates revenue.

09 I mean, those are really my comments and
10 my remarks. I just wanted to encourage the task force
11 to think about increasing the private-sector
12 involvement in the state. And think about when and
13 how that can be a solution in meeting some of the
14 challenges of the Commonwealth. Thank you again for
15 the opportunity.

16 CHAIR:

17 Well, thank you very much, Yvette.
18 Eugene Barrett, Executive Director, Scranton Sewer
19 Authority. Gene, you're up.

20 MR. BARRETT:

21 Senator Musto and members of the

22 committee, my name is Eugene Barrett. I'm the
23 Executive Director of the Scranton Sewer Authority,
24 which also includes the Borough of Dunmore. I'm
25 pleased to be here today on behalf of the City of

102

01 Scranton, the Sewer Authority and, again, the Borough
02 of Dunmore, and the Board of Director for the Scranton
03 Sewer Authority and Honorable Mayor Christopher
04 Doherty.

05 I've given you a document, I think it's
06 roughly 11 or 12-pages long with exhibits on. I have
07 a highlighted version here. And considering the time,
08 I think I'll just --- certainly, I'm just going to
09 give you some highlights of the Scranton system. You
10 have a history there of how the Scranton system
11 started, where we've gotten over the last, you know,
12 40 or so years, and where we are now. And the current
13 dilemma that we're facing regarding the Combined Sewer
14 Overflow Policy and the biological nutrient reduction
15 caps that have just been recently --- we received in
16 our permit that was issued by DEP in March of 2008.

17 The Scranton Sewer Authority owns the
18 wastewater and collection conveyance and treatment
19 system. It serves the City of Scranton, the Borough
20 of Dunmore. The adjacent portion of Lackawanna Valley
21 Sanitary Authority and the Lackawanna River Basin
22 Sewer Authority are also served by the Scranton Sewer
23 Authority. These areas include parts of the Borough

24 of Taylor within the Lackawanna River Basin service
25 area, the SSA services the Siniawa sewer system along

103

01 U.S. Route 6 in the Borough of Dickson City and the
02 Montage Sewer District in the Borough of Moosic. We
03 serve a residential population of approximately
04 87,000, about 30,000 accounts of residential, plus
05 approximately 1,800 commercial accounts.

06 Effectively, there's three other small
07 entities that we serve, they're mentioned, I just
08 mentioned Dickson City, Taylor and the Montage Sewer
09 District. Geographically, when these areas were
10 developing, it was much easier for them to connect
11 into our system than, you know, what they had to do in
12 order to let's say connect to the Lower Lackawanna or
13 Lackawanna sewer system.

14 We have approximately 275 miles of
15 collection system. There are seven pumping stations
16 and approximately 62 percent is our combined sewers.
17 We have 80 combined sewer overflow points on the
18 system. Similar to what we just mentioned here
19 recently, Wyoming, Wyoming Valley, we just rebuilt the
20 seven pumping stations within the last couple of years
21 at a cost of about \$2 million. So listening to the
22 problem of Wyoming Valley, that \$15 million or so in
23 today's dollars is about what it would cost to rebuild
24 the pumping stations.

25 Our current operating budget is

104

01 approximately \$16 million. Of this amount, 84 percent
02 is for operation, maintenance and administration, 16
03 percent related to debt service on our loans and bond
04 issues.

05 The first bond indenture was in 1968 and
06 the term of that was up April 1st of 2008, 40 years.
07 And in 40 years, up until September of 2007, there was
08 not another bond issue, we relied solely on the funds
09 of the ratepayers. There were very few rate increases
10 over the years, although recently we found ourselves
11 --- I'll get into that in a few minutes --- rate
12 increases in the last couple years and more to come.

13 But in September of 2007 we closed on a
14 bond issue of approximately \$17 million. And that
15 money is used for current capital programs that are
16 underway. And also it's going to pay for most of our
17 --- first several years of the nutrient reduction
18 program. I can't say --- Michael Gallagher is here,
19 we have been able to take advantage the last five or
20 so years of substantial assistance from PENNVEST.
21 Currently, a capital project is underway right now.
22 We applied for \$9 million and received I think half,
23 Michael, right, of our request.

24 We did find that when we went in to
25 PENNVEST approximately five or six years ago, we got

105

01 just everything we asked for, but it just seems to
02 last us several years. It seems like a lot of, you

03 know, entities, municipalities, authorities, whatever
04 have discovered PENNVEST and now it's become much more
05 difficult. But regarding that, we'll get into what
06 we're here for today. Obviously, we recognize the
07 purpose of the task force, identifying the capital
08 needs facing water and sewer facilities in the
09 Commonwealth, and innovative or sustainable needs of
10 accomplishing the projects, securing the financing
11 and/or managing resources.

12 Today I will address some of the current
13 and historic financial technical and management
14 mechanisms we have used in Scranton. I will provide
15 you with a summary of our capital improvement history
16 and future needs, we'll touch on some of the key
17 points. We have our capital program. We've never not
18 had the capital program going on at all times. We've
19 been able to afford the capital program. Our system,
20 just like everybody else's, our plant and collection
21 system, a good part of it is 40 years old, but prior
22 to the early 70s, the rest of it is approximately 100
23 years old. The plant itself, being 40 years old, is
24 tired and it's worn out.

25 We're spending approximately \$2 million

106

01 on a collection system every year. And were it not
02 for the Combined Sewer Overflow Policy that was
03 mandated to address --- and also the BNR issue with
04 the state and Chesapeake Bay initiative, we would
05 probably be okay with the way we've been going for the

06 last 40 years or so. But regardless of that, those
07 particular issues with Scranton, we were able to avail
08 ourselves of some funds from the Army Corps of
09 Engineers. Approximately two years or so ago we
10 completed a study, \$150,000, \$160,000, that outlined
11 what the Scranton Sewer Authority had to do as far as
12 the BNR program is concerned. Our cost,
13 approximately, \$30 million at this point, depending on
14 the technologies that we use.

15 We also have availed ourselves of money
16 from --- well, Lackawanna County is managed by a group
17 called Lackawanna Watershed 2000, they were the
18 conduit for funds from the EPA. We participated in a
19 car-sharing arrangement with Watershed 2000 in
20 Lackawanna County. Forty-five (45) percent of the
21 funds we provided. That was our local share and 55
22 percent came from EPA funds in developing a long term
23 control plan in response to the combined sewer
24 overflow requirement. That plan cost approximately
25 \$3.5 million, of which we paid for approximately 45

107

01 percent.

02 The plan requires us to separate 15
03 combined sewer overflow points of the approximately 80
04 that are out there currently. Also, a high-rate
05 clarification facility at the plant, overall cost in
06 today's dollars for that is about \$120 million. So
07 combined between the BNR reduction and the combined

08 sewer overflow, which are like many others are hitting
09 us simultaneously, for the two of them, close to \$160
10 million over the next 20 years.

11 The document I provided you with today,
12 there are two exhibits there. You'll see our project
13 schedule and also budget schedule. The numbers are
14 there. We have the benefit of very recent topical
15 information from an engineering standpoint.
16 Everything that we have is basically less than one to
17 two years old, at the very most.

18 One issue that was mentioned earlier, it
19 came from the fellow from Hazleton, and why they're
20 having a problem with their ability to get other
21 funding. We completed, if I can find it here, the
22 reference. But we just completed recently a financial
23 capability assessment, financial capability
24 assessment. It's part of the guidance documents and
25 requirements under the development of a long term

108

01 control plan and the Combined Sewer Overflow Policy
02 allocated by the EPA. And basically it's social and
03 economic indicators that tell us the status of your
04 community and the affordability of your community to
05 pay for the mandated requirements. Where it really
06 comes into play is the term that you arrive at when
07 you negotiate a term with the EPA as far as how long
08 they will allow you to take to complete your projects.

09 But anyway, in our case, I'm going to
10 read this. I'll read it to you. The recognized major

11 economic burden to our residential customers is the
12 percentage of community and household income that is
13 used to pay sewer service charges. Currently, our
14 customers are paying on an average of over one percent
15 of their income on sewer service, which is considered
16 by EPA to be in the mid range of affordability.
17 However, with the projected capital needs, our
18 ratepayers would be facing over two percent of their
19 income devoted to the sewer service. According to
20 EPA, this will place our ratepayers in a high-burden
21 category. So what that means is, while we will
22 attempt to negotiate with EPA on the term of the
23 implementation our long term control plan, we're
24 hoping for at least a 18 to 20-year plan.

25 Where we have developed an issue right

109

01 now at this point with EPA, when we --- early on when
02 we were involved with EPA and Lackawanna Watershed
03 2000 and the task force that was working on Scranton's
04 project and the Lackawanna River Basin Sewer Authority
05 Project, we chose, under the guidance documents,
06 what's called a 85 percent capture. So with that 85
07 percent capture means on a wet-weather day, and if
08 there's day-to-day use ---. I think in our case there
09 was some rain event that occurred let's say in 1982 or
10 whatever. It's kind of like an encompassment of
11 statistical data that they start at. But anyway, we
12 chose the 85 percent capture rate and that's how the

13 plan was developed.

14 The plan was published with 85 percent
15 capture. Substantial improvements at the treatment
16 plant itself, as I mentioned earlier, the high-rate
17 clarification facility, and also elimination of 15
18 combined sewer overflow points. But even with that,
19 the plans still included a substantial amount of
20 events on an annual basis. In other words, discharges
21 on an annual basis, more than what's accepted by EPA.
22 So we're in the middle of I would have to say a very
23 strong negotiation at this point. And it is, you
24 know, it's probably now to this point that Scranton
25 we're not just with the EPA, we're also with the

110

01 Department of Justice and the Enforcements Act.

02 So we have kind of somewhat of a tough
03 road ahead of us, and we're working on that. But it
04 is going to cost substantially the ratepayers of
05 Scranton and Dunmore. And we just --- we raised our
06 rates a few years ago and we just raised our rates in
07 2007 another 50, 60 percent. And, again, we're
08 looking at additional rate increases going forward.

09 As I said a few minutes ago, we have
10 tried to avail ourselves as much as we could of
11 PENNVEST funds. I would recommend to the task force
12 that --- and I see in the legislation and I think the
13 task force is head in this direction, let PENNVEST be
14 that entity or body that's substantially involved.
15 And if their --- even their interest can be broadened

16 somehow, I think that would be a wise thing to do.

17 That is our story. I would have to say
18 that, you know, we're not too much different. We're
19 one of the 62 or 63 point-source dischargers that are
20 under the BNR reduction effort, as far the Chesapeake
21 Bay Strategy is concerned. We haven't received our
22 permit. We did put in an appeal in our permit at the
23 same time, just in language within the permit, that we
24 wanted it to be clarified, we wanted to protect our
25 interest, so we do file the appeal.

111

01 Scranton Sewer Authority is committed and
02 will not shirk responsibilities on either the Combined
03 Sewer Overflow Policy that we're required --- nor the
04 nutrient reductions.

05 We basically know at this point
06 effectively the direction we're going in. We have a
07 20-year plan laid out. We know our budget and we have
08 a pretty good idea from an engineering standpoint what
09 we need to do. Those costs, we have an idea, a pretty
10 good idea of how we're going to raise the rates. But
11 at the same time if there's any way that the state ---
12 and I know the federal government is mentioned here
13 before, but we're here before the state ---. But we
14 certainly need all of the help that we can get out of
15 this community and our community and all the others,
16 in the form of grants, loans, however you see fit.
17 But we need your help and we need it desperately.

18 Thank you.

19 CHAIR:

20 Thank you very much, Eugene. Walter
21 Nicholson. Director of Operation for the Williamsport
22 Sanitary Authority.

23 MR. NICHOLSON:

24 Senator Musto, committee, thank you. My
25 name is Walter Nicholson. I'm the Director of

112

01 Operations for Williamsport Sanitary Authority and
02 Williamsport Municipal Water Authority. I'm thankful
03 to be given this opportunity to present testimony on
04 behalf of our authorities. In addition to testimony
05 today, we'll submit a more complete testimony,
06 including both water and wastewater infrastructure
07 issues. The most important current issue that echoes
08 Wyoming Valley, Scranton Sanitary Authority, is the
09 impact of the Chesapeake Bay Cleanup Program on our
10 sanitary authority customers. Unless the Commonwealth
11 of Pennsylvania steps forward to help fund the program
12 and address questions which concern the regulating
13 communities, there may be drastic unfortunate economic
14 consequences for our local communities and the economy
15 of the Central Pennsylvania region.

16 Our authority recognizes the importance
17 of a clean environment to our community and region and
18 for over 50 years we've been instrumental in
19 maintaining the high water quality of the West Branch
20 of the Susquehanna River. Our treatment plants serve

21 over 51,000 people and hundreds of businesses and
22 industries in Williamsport and six surrounding
23 municipalities. We treat an average of about
24 12,000,000 gallons of wastewater each day. And that
25 represents about 80 percent of the point-source

113

01 discharges from Lycoming County. The current value of
02 our treatment plants is over \$60 million, including a
03 recent \$15 million renovation at our West wastewater
04 treatment plant that was completed in 2002.

05 Our authority, the City of Williamsport,
06 and the tributary communities, which include seven
07 other municipalities, have been working together since
08 2001 to determine how to cost-effectively address the
09 two major environmental regulatory programs from the
10 Pennsylvania Department of Environmental Protection
11 and the U.S. Environmental Protection Agency, namely
12 the Chesapeake Bay Cleanup Program and the wet weather
13 sewer overflow reduction initiatives.

14 As a result of the planning process which
15 is now nearing completion --- and again these are
16 fairly current numbers, it has been determined the
17 capital cost necessary to meet DEPs, MPDES permit cap
18 loads for total nitrogen and total phosphorous
19 required by the Bay Program for their treatment plants
20 within the next five years, will be about \$70 million.
21 In addition, the plants will require an additional
22 \$22,500,000 in improvements to handle additional flows

23 to reduce the wet-weather overflows and to do other
24 planned upgrades that our plants need at this time.

25 Our primary plants were built in 1955,

114

01 secondary in about 1974. Our authority will also need
02 to spend about \$7.6 million on combined sewer overflow
03 control facilities in the next four years within the
04 City of Williamsport, plus ongoing costs of about a
05 quarter of a million dollars per year in sewer system
06 improvements into the future. And the tributary
07 communities are estimating over \$41 million in sewer
08 system and customer lateral improvements to abate
09 their sanitary sewer overflows, the SSOs. Total price
10 tag for all these sewer and treatment system upgrades
11 for our authority and its partnering municipalities
12 over the next five years to ten years will be over
13 \$140 million.

14 These upgrades will result in rate
15 increases which would triple the rates our customers
16 currently pay for sewer service. That would put us
17 very close to the two percent benchmark that Mr.
18 Barrett was talking about, which would be in the high-
19 impact area. There are also currently no significant
20 sources of funding assistance from the Commonwealth or
21 the federal government to help defray regulatory
22 burden. Unlike Virginia and Maryland, Pennsylvania
23 has not established significant statewide funding
24 programs to help its municipal treatment systems to
25 deal with the high cost of these cleanup goals.

01 Also, the removal of the Act 339
02 operating cost subsidy by the Commonwealth has further
03 reduced our ability to absorb operating costs which
04 will increase significantly due to the nutrient
05 removal requirement. Our authority has lost over a
06 quarter of a million dollars in annual subsidies since
07 that program was discontinued.

08 While the authority and the
09 municipalities recognize our commitment to help
10 provide a clean environment and protection of our
11 local streams, the economic impact that these unfunded
12 mandates are placing on the community would be
13 enormous.

14 The extensive level of nitrogen reduction
15 treatment required by the DEP strategy is reflected in
16 our NPDES discharge permit cap loads, results in the
17 need to employ much more extensive levels of
18 technology to treat nitrogen than is economically
19 efficient. The high level of treatment is more than
20 double the estimated capital cost of the project or
21 its cost-effective point, i.e., a curve point, and
22 results in much less benefit, meaning low marginal
23 return for the dollars spent. If the DEP has
24 determined that nitrogen reduction is far beyond the
25 most cost-effective point required, then the

01 Commonwealth and not our ratepayers should fund the

02 cost of that additional level of treatment.

03 Our authority is working with Lycoming
04 County and considering the option of purchasing
05 trading credits for a portion of the required total
06 nitrogen reductions, but the use of trading credits as
07 a significant part of our compliance strategy is not
08 currently viable because --- on a large scale, because
09 of the large number of credits required, rigid
10 schedule required by our NPDES permits and major
11 issues of uncertainty surrounding the trading credit
12 program and the ramifications of possible future in-
13 stream or TNDL nutrient standards.

14 We're willing to do our fair share of the
15 nutrient reduction improvements, which are reasonable
16 and cost-effective, however we do not believe that
17 it's fair and reasonable to the ratepayers in our
18 community to pay the full cost of the levels of
19 treatment technology beyond the most cost-effective
20 point. And it's not appropriate for our ratepayers,
21 as customers of large sewer systems, to assume the
22 cost and responsibility for farmers or manure haulers
23 to practice environmentally-responsible practices.
24 The state and federal governments need to step forward
25 to help finance the higher levels of required

117

01 wastewater treatment technologies and additional non-
02 point improvements and farming practices that are
03 necessary to meet the goal of improving the Chesapeake
04 Bay. Because the benefits of the program will occur

05 beyond our local area and our city, the burden of the
06 funding should be shared both on a statewide and
07 national level.

08 In fairness to our ratepayers and with
09 concern for the drastic impact of these estimated
10 costs on our local economy, we'll continue to seek the
11 cooperation and commitment of all local, state and
12 federal elected officials and organizations concerned
13 with the local and Central Pennsylvania economy to
14 work for effective legislative and supplemental
15 funding to reduce the burden on our ratepayers.

16 While we welcome the effort to look at
17 the long range infrastructure needs, and we encourage
18 the Governor and the legislature to make sure that
19 funding mechanisms to meet current needs are addressed
20 and implemented soon, we support legislative
21 initiatives, such as The Fair Share for Clean Water
22 Funding Plan proposed by the PMAA, Pennsylvania Farm
23 Bureau, Pennsylvania Builders' Association,
24 Pennsylvania Association of Conservation Districts and
25 the Chesapeake Bay Foundation. More specifically, we

118

01 support the adoption of legislation, such as your
02 bill, Senator Musto, and Representative Perry's House
03 Bill 2441, which would furnish 50 percent matching
04 grant funding for the Chesapeake Bay-related
05 wastewater treatment facility improvements, as well as
06 helping to fund farm programs to reduce the non-point

07 nutrient discharges, make improvements in the nutrient
08 credit trading program to make it more predictable,
09 reliable and cost-effective, and to address the
10 concerns relative to municipal bidding law
11 requirements.

12 So with that, thank you for your time.

13 And thank you, Senator Musto.

14 CHAIR:

15 Thank you, Walter, for your testimony.

16 And now we hear from Matt Ehrhart. He's the Executive
17 Director for the Pennsylvania office, Chesapeake Bay
18 Foundation. Welcome.

19 MR. EHRHART:

20 Thank you. In the interest of time, I'll
21 be brief. I do want to thank Senator Musto for his
22 stalwart support of infrastructure issues. Although
23 we really can't talk about this issue honestly without
24 being in a million dollar category, and that scares
25 away the legislature and the Governor, I also want to

119

01 thank the task force for tackling an issue that has
02 really gone unaddressed for far too long. It tends to
03 be invisible and people would rather not deal with it
04 given the cost. I'd also like to thank Dana for his
05 involvement over years and years of these discussions
06 of various issues.

07 Some of these issues I'd like to call
08 your attention to, and as had been mentioned here
09 repeatedly, are not things we can put off any longer

10 and as a reality, the cost will be borne now. The
11 only question we face right now for the cost related
12 to the Chesapeake Bay issue is, how are we going to
13 distribute those costs among ratepayers, local
14 government, and the state? I wish I could throw the
15 federal government in there but I think that would be
16 totally optimistic at this point. And based on the
17 Clean Water Act Compliance issues with the Chesapeake
18 Bay impairment, as has been noted, about 63 wastewater
19 treatment plants have already received permit limits.
20 About 121 will come up over the next several years,
21 and they need to exist right now to start the
22 planning, designing and construction process, with the
23 total price tag of over a billion dollars.

24 The last time we had this sort of
25 proposed construction of treatment facilities across

120

01 the state was in the early days of the Water Act
02 construction and most of those costs were borne with
03 the 75 percent federal cost share.

04 The interesting corollary to that, and
05 perhaps it would be the expensive corollary is, we're
06 starting to see TNBL ---. Outside of the Bay
07 Watershed, I think there are six facilities right now
08 looking at nutrient based limits based on local water
09 quality impairments. Pretty much in the Southeast, in
10 Pittsburgh, Harrisburg, I forget where the final one
11 is, but those treatment limits are even lower than

12 what's being requested in the Chesapeake Bay permits.
13 And it should be noted that such as the level of
14 treatment required by maintenance of the cap load for
15 nitrogen and phosphorous. I think any time we're
16 looking at Clean Water Act driven limits based on
17 nutrients, we can't avoid the linkage to the non-point
18 source sector. Whether that's agriculture or suburban
19 and urban runoff, Chesapeake Bay Watershed funds are
20 being asked to implement many new BMGs with green
21 infrastructure, if you will. And the reality is that
22 if we don't achieve these ag reductions, we're going
23 to create more problems for ourselves in terms of
24 future growth and economic development. We have to
25 move the whole picture forward together, not just our

121

01 treatment plants, not just agriculture, not just
02 stormwater but all of these infrastructure issues
03 together.

04 We really have to prioritize. I was
05 going to say allocate, but it's really a matter of
06 prioritization.

07 We have a \$28 billion state budget. This
08 is a need that's not going to go away and it's only
09 going to get more expensive as fuel cost and energy
10 cost, construction material costs increase. We can't
11 put it off another year. Many of these communities,
12 as you've heard, are already increasing their rate.
13 They need the assistance now.

14 The Pennsylvania Builders' Association,

15 the Municipal Authorities Association, the Farm
16 Bureau, Pennsylvania Association of Conservation
17 Districts, have joined together an alliance of sort of
18 unlikely bedfellows and are having a very rapidly
19 expanding coalition. And I think still a number of
20 groups are now up to about two dozen of proposed
21 Pennsylvania Fair Share for Clean Water Plan, sending
22 forth \$890 million spending every seven years and
23 50/50 cost-share grants and programs to both
24 wastewater treatment plants and agricultural
25 infrastructure issues.

122

01 I'd like to note that Representative
02 Perry, as we mentioned before, has introduced House
03 Bill 2441, which addresses many of these issues.
04 Senator Musto's bill is out there on the CSO issue.
05 Recently Senate Bill 02 has been introduced, which is
06 another infrastructure bill based on, I think, a \$750
07 million bond issue paid for with gambling revenues.

08 I guess to summarize, given the overall
09 infrastructure needs we face here, we just can't
10 afford to set precedent of starting a fund of a
11 hundred percent on local citizens and local
12 municipalities. The state has always tried to address
13 some of these issues equitable, whether it's roads,
14 mass transit, wastewater. There's a role for both the
15 state government and the local government and the
16 local citizens in these issues. I think that needs to

17 continue. And in order to address the issues that are
18 being driven by the permit limits right now, we need
19 to start money flowing in this budget year and look at
20 that as a downpayment in the market infrastructure
21 issue.

22 I'll wrap up. I know everybody's been
23 here a long time.

24 CHAIR:

25 Well, thank you very much, Matt. That

123

01 concludes testimony for today. And if there's anyone
02 present who would like to submit additional testimony
03 or any testimony, feel free to do so. The record will
04 continue to be open and you can forward the testimony
05 or request to myself or to Greg at the Joint Air and
06 Water Conservation and Pollution Committee. Yes?

07 MR. EHRHART:

08 Senator, what's the time length on the
09 task force for wrapping everything up, the theories
10 and ---?

11 CHAIR:

12 October. We need to have a report to
13 Governor Rendell on October 1st or before October 1st.
14 And that is quite a timetable. We certainly have to
15 move along very well. And, you know, we did receive
16 some great testimony today, very informative.
17 Recommendations were very good and the task force will
18 be looking at them. What we must keep in mind, we
19 heard testimony today from sanitary authorities that

20 are really working very hard to correct infrastructure
21 problems and especially CSOs and testimony we heard
22 today hundreds of millions of dollars are to be spent
23 and yet, the total problem for CSOs is not solved with
24 the amount of money so far that is being permitted.
25 So we do have an awful lot of work to do in that area,

124

01 no question about it? Craig, you wanted ---?

02 MR. BROOKS:

03 Well, no, I just want to remind
04 everybody, the deadline for submitting testimony is
05 actually June 1st; correct? June 1.

06 CHAIR:

07 And if you have it after June 1st?

08 MR. BROOKS:

09 We'll take it.

10 CHAIR:

11 Well, thank you very much. The hearing
12 is now concluded. And we have the opportunity for
13 additional hearings in other areas between now and
14 probably July or August. I did not introduce a very
15 outstanding gentleman, the former Secretary of
16 Environmental Protection. Dave Guss is with us today
17 and through our whole hearing and testimony. Well,
18 thank you very much.

19

20

* * * * *

21

HEARING CONCLUDED AT 4:15 P.M.

22

* * * * *

23

24

25